

ALBERT KING

LLOYD VS. FIRST CHOICE TRUCKING AND REPAIR, INC.

JANUARY 15, 2003

BIENENSTOCK COURT REPORTING & VIDEO

BINGHAM FARMS, MICHIGAN

248.644.8888

Page 1

1 IN THE DISTRICT COURT OF THE UNITED STATES  
2 FOR THE EASTERN DISTRICT OF MICHIGAN  
3 SOUTHERN DIVISION  
4  
5 JOY CECELIA LLOYD and CLARIDON LLOYD,  
6 Plaintiffs,  
7 vs. Case No.00-72171  
8 Hon. Paul D. Borman  
9 FIRST CHOICE TRUCKING AND REPAIR, INC.,  
10 a foreign corporation, and WILLIE PAUL  
11 JACKSON, Jointly and Severally,  
12 Defendants.  
13 /  
14 PAGE 1 TO 104  
15  
16  
17 The Videotaped Deposition of ALBERT KING,  
18 Taken at 1301 West Long Lake Road, Suite 250,  
19 Troy, Michigan,  
20 Commencing at 10:20 a.m.,  
21 Wednesday, January 15, 2003,  
22 Before Kimberly H. Kaplan, CSR-5096.  
23  
24  
25

Page 2

1 APPEARANCES:  
2  
3 STEVEN M. GURSTEN  
4 Gursten, Koltonow, Gursten, Christensen & Raitt, P.C.  
5 26555 Evergreen Road  
6 Suite 1530  
7 Southfield, Michigan 48076-4362  
8 (248) 353-7575  
9 Appearing on behalf of the Plaintiffs.  
10  
11 WITOLD SZTYKIEL  
12 Bigler, Berry, Johnston, Szykiel & Hunt, P.C.  
13 1301 West Long Lake Road  
14 Suite 250  
15 Troy, Michigan 48098-6348  
16 (248) 641-1800  
17 Appearing on behalf of the Defendants.  
18  
19 ALSO PRESENT:  
20 Steven Binsfield - Video Technician  
21  
22  
23  
24  
25

Page 3

1 Troy, Michigan  
2 Wednesday, January 15, 2003  
3 10:20 a.m.  
4  
5 MARKED BY THE REPORTER:  
6 DEPOSITION EXHIBIT NUMBERS 1-9  
7 10:11 a.m.  
8 VIDEO TECHNICIAN: This is the beginning  
9 of this videotaped deposition. The date is  
10 Wednesday, January the 15th, 2003. The time now is  
11 10:20 a.m.  
12 This is the case of Lloyd versus First  
13 Choice Trucking, et al., case number 00-72171. This  
14 videotaped deposition is taken of Dr. Albert King,  
15 located at 1301 West Long Lake Road, Troy, Michigan.  
16 Madame Court Reporter, will you please  
17 swear in the witness?  
18 ALBERT KING,  
19 was thereupon called as a witness herein, and after  
20 having first been duly sworn to testify to the  
21 truth, the whole truth and nothing but the truth,  
22 was examined and testified as follows:  
23 VIDEO TECHNICIAN: Thank you.  
24 Counselors, will you please briefly  
25 introduce yourselves?

Page 4

1 MR. GURSTEN: Steven Gursten. I'm here  
2 for Joy Lloyd.  
3 MR. SZTYKIEL: And Witold Szykiel on  
4 behalf of First Choice Trucking.  
5 VIDEO TECHNICIAN: Thank you.  
6 Please continue, Mr. Gursten.  
7 MR. GURSTEN: Thank you.  
8 This is the discovery deposition of Dr.  
9 Albert King, taken pursuant to notice, to be used  
10 for all purposes, under all applicable rules.  
11 Mr. King my name is Steven Gursten.  
12 Hello.  
13 THE WITNESS: Hi.  
14 MR. GURSTEN: How are you today?  
15 THE WITNESS: Good, thank you.  
16 MR. GURSTEN: Good.  
17 EXAMINATION  
18 BY MR. GURSTEN:  
19 Q. You have had a chance to have your deposition taken  
20 in the past?  
21 A. Yes.  
22 Q. Many times?  
23 A. Yes.  
24 Q. Okay. I am not here to try and trick you or confuse  
25 you. Basically, I'm just trying to ask you

Page 5

1 questions, so please, you will not offend me, in any  
 2 way, if you don't understand one of my questions or  
 3 you're not sure. Just stop me. I'll be happy to  
 4 repeat it or rephrase it, okay?  
 5 A. Okay.  
 6 Q. Also, this is not meant to be too unpleasant an  
 7 experience, so if you need to take a break, or get  
 8 something to drink or what have you, please just let  
 9 me know and I'll be happy to accommodate you any way  
 10 I can.  
 11 A. All right.  
 12 Q. Fair?  
 13 A. Okay.  
 14 Q. If you don't understand my question, you'll stop me  
 15 and tell me so?  
 16 A. Yes.  
 17 Q. And likewise, if you do answer one of my questions,  
 18 is it safe to assume that you understood it, if you  
 19 answered it?  
 20 A. Correct.  
 21 Q. Okay. Let's get started then. We've marked a  
 22 number of exhibits. The first is your CV, your  
 23 curriculum vitae? That's Exhibit Number 1.  
 24 A. Yes.  
 25 Q. Is this accurate and up-to-date?

Page 6

1 A. As of December of last year.  
 2 Q. Okay. So as of a few weeks ago?  
 3 A. Yes.  
 4 Q. Okay. And then, Exhibits 2 through 7 are five of  
 5 the six papers that you listed on page four of your  
 6 report, under the heading of references, with the  
 7 caveat that the very last paper, which is titled A  
 8 New Biomechanical Predictor for Traumatic Brain  
 9 Injury a Preliminary Finding, that that is not here,  
 10 but that you will provide it through your counsel,  
 11 who has retained you, as soon as possible; is that  
 12 correct?  
 13 A. Not quite correct. Reference number one in my  
 14 report and reference number five, I did not provide.  
 15 Q. Okay. Would you then mind also providing those  
 16 papers, as well, to your attorney?  
 17 A. Those are fairly lengthy. I may have to put it on a  
 18 CD or something.  
 19 Q. Okay. As -- as long as we can try and accommodate  
 20 each other -- the problem here is this: I'm not  
 21 taking your discovery deposition two months before  
 22 trial. I'm taking it a week and a half before trial  
 23 and your trial deposition is noticed up one week  
 24 from today and I need to make sure that my expert  
 25 has a chance to review these papers, also, and I

Page 7

1 have a chance to review these papers before we take  
 2 your trial deposition, so is there some time this  
 3 week, preferably as early as possible, where you may  
 4 be able to provide me these papers through your  
 5 attorney?  
 6 A. The earliest I can do is, get a CD made tomorrow and  
 7 deliver it to Mr. Szykiel on Friday.  
 8 MR. SZYKIEL: I have no objection if you  
 9 just deliver it directly to his office.  
 10 THE WITNESS: Or I can Fed-Ex to you  
 11 directly tomorrow.  
 12 MR. GURSTEN: That would be very helpful,  
 13 thank you.  
 14 BY MR. GURSTEN:  
 15 Q. Okay. So with the caveat that you will provide  
 16 those additional papers, the last exhibit, Exhibit  
 17 Number 9, is the -- well, why don't you tell me what  
 18 this is?  
 19 A. This is a simulation run using the articulated total  
 20 body model, very similar to what Dr. Ziejewski did,  
 21 but with our own data modified -- with a modified  
 22 data set.  
 23 Q. This is obviously very thick. This is a computer  
 24 program that you use, the articulated total -- total  
 25 body --

Page 8

1 A. This is -- yeah. It's the same program as Dr.  
 2 Ziejewski used.  
 3 Q. What I want to know then is, are all of the  
 4 calculations, are all of the formulas, mathematical  
 5 equations that you have used in this case, and is  
 6 all the raw data that you have input to make those  
 7 calculations contained in Exhibit Number 9?  
 8 A. I don't know if the input is in there.  
 9 Q. Well, I need to know what the input is so I know  
 10 what the output was and how you may have influenced  
 11 it. How do I find out what the input was?  
 12 A. Well, I can give you those, too.  
 13 Q. By tomorrow again?  
 14 A. Yeah. I can put that on a CD, as well.  
 15 Q. What I want to know, then, is, is there any other  
 16 underlying raw data, besides the input which you  
 17 said you will provide to me by tomorrow, that is not  
 18 contained in Exhibit Number 9?  
 19 A. Well, except for the input information.  
 20 Q. It has everything else?  
 21 A. Yes.  
 22 Q. Were there any other computer programs that you  
 23 used?  
 24 A. Not -- not for this case, no.  
 25 Q. And just so I'm clear, and please forgive me if my

Page 9

1 questioning is dense, but when I'm talking about  
2 data input, I'm specifically looking for the input  
3 regarding height, weight, degree of seat stiffness,  
4 the headrest, the headrest height, etcetera.  
5 A. Well, everything is in there except for some  
6 changes, such as seat back stiffness, but that would  
7 be in the input data set that we'll provide.  
8 Q. Okay. Thank you, sir.  
9 On page two of your report, if you'd be so  
10 kind to turn to it, around the middle of the page  
11 you use the word we, we have conducted a  
12 biomechanical analysis. Who is we?  
13 A. Dr. Begeman and I.  
14 Q. Now, Dr. Begeman is the one who does your  
15 calculations for you?  
16 A. Yes.  
17 Q. If I were to ask you specific questions regarding  
18 input data calculations, would you be able to answer  
19 them, sitting here today?  
20 A. I'll try my best.  
21 Q. You'll let us know if you can't?  
22 A. That's right.  
23 Q. Okay. Is there anyone else who has helped you, in  
24 any way, in compiling this report?  
25 A. No.

Page 10

1 Q. If we could, let's just turn to -- towards the  
2 bottom of the page, under the heading, biomechanics  
3 of brain injury due to blunt impact. You have a  
4 sentence that states as follows: Recent results  
5 from our laboratory show that the use of a single  
6 input parameter to explain the mechanism of injury  
7 or as a tolerance measure, such as angular  
8 acceleration of the head, is unreliable and possibly  
9 erroneous. Does that appear?  
10 A. Yes.  
11 Q. And I read that accurately?  
12 A. Correct.  
13 Q. You also go on to state that -- in the next  
14 sentence, on the other hand, the response of the  
15 brain to an impact is a more reliable measure of  
16 injury. Does that also appear in your report?  
17 A. Yes.  
18 Q. And did I read that accurately?  
19 A. Yes.  
20 Q. Okay. Did you perform any brain modeling in this  
21 case?  
22 A. No.  
23 Q. Did you perform any type of study that would give  
24 you, quote, the response of the brain to an impact  
25 as a more reliable measure of injury?

Page 11

1 A. Oh, yes.  
2 Q. What did you do?  
3 A. That's involved in the approximately fourteen years  
4 of research, up to right now, in which we carried  
5 out three different experiments and one computer  
6 modeling study to come up with this -- this one  
7 result.  
8 Q. Well, if you could be a little bit more specific,  
9 you didn't do brain modeling, so what exactly did  
10 you do here?  
11 A. Well, I don't need to do anything here. I'm using  
12 my results and applying to this case. Doesn't have  
13 to be a specific input of any kind.  
14 Q. Okay. When you talk, as you did in your report, in  
15 that second sentence that begins, on the other hand  
16 the response of the brain to an impact is a more  
17 reliable measure of injury, are you referring to  
18 brain modeling there?  
19 A. Well, it's not -- it's not possible to get a brain  
20 response without using a model, but based on our  
21 understanding of the biomechanics, we now conclude  
22 that the response of the brain, how the brain is  
23 deformed, is more important than what you -- how you  
24 input the acceleration into the head as a measure of  
25 injury.

Page 12

1 Q. Okay. Apart from brain modeling, how would you  
2 determine that?  
3 A. Well, we have also used high speed x-ray and  
4 measured the motion of the brain inside the skull  
5 during an impact, using a very high speed camera.  
6 Q. So one of the two; high speed x-ray or brain  
7 modeling?  
8 A. Yes.  
9 Q. Okay. You say that those two methods, either brain  
10 modeling or high speed x-ray, are a more reliable  
11 predictor, correct?  
12 A. Well, that -- like I said, there were three  
13 experiments and one -- one computer modeling study  
14 that resulted in this statement.  
15 Q. I understand.  
16 A. The two experiments, I already described to you.  
17 The model and the one experiment, I already  
18 described to you.  
19 Q. Did you do a specific brain model in this matter?  
20 A. No.  
21 Q. Okay. So my question to you is: You have said that  
22 brain modeling or high speed x-rays are more  
23 reliable, but in this case, you did not perform  
24 either; is that accurate?  
25 A. Yes. I said that I didn't have to because I didn't

Page 13

1 finish --  
2 Q. That's not my question, sir.  
3 A. I didn't finish answering my question -- the  
4 previous question about my studies because I have  
5 also used real human data from the National Football  
6 League, where football players are concussed and  
7 that is where the injury picture comes in. So based  
8 on the injury and -- and the computer model and the  
9 brain motion, we can conclude what I just concluded  
10 in that statement.  
11 Q. Back to my question, though. I want to know, if you  
12 did not do brain modeling or high speed x-ray in  
13 this case --  
14 MR. SZTYKIEL: You mean of Joy Lloyd's  
15 brain?  
16 MR. GURSTEN: Yes.  
17 MR. SZTYKIEL: Oh.  
18 THE WITNESS: Well, like I told you, it's  
19 not necessary because --  
20 BY MR. GURSTEN:  
21 Q. Doctor, we're going to be here a long time.  
22 A. I did not.  
23 Q. If you can answer one of my questions simply, that  
24 might --  
25 A. I did not.

Page 14

1 Q. -- save us a lot of time.  
2 All right. So just so we're clear,  
3 because unfortunately, the transcript is now going  
4 to be a little bit wordy, I'm going to try and make  
5 it a little bit simpler. You've stated in your  
6 paper on page two, that brain modeling is a more  
7 reliable indicator, but you did not perform it in  
8 this case; is that true?  
9 A. Correct.  
10 Q. Okay. You say in that same heading, that, quote,  
11 single input parameters can be unreliable and  
12 possibly erroneous, true?  
13 A. Yes.  
14 Q. Okay. You didn't do brain modeling, true?  
15 MR. SZTYKIEL: Well, he's saying he didn't  
16 do a model of Joy Lloyd's brain.  
17 MR. GURSTEN: Right. I understand.  
18 MR. SZTYKIEL: That doesn't mean he  
19 doesn't have a brain model.  
20 MR. GURSTEN: I understand.  
21 MR. SZTYKIEL: Okay.  
22 THE WITNESS: Well, this is a general  
23 statement. It has nothing to do with any one  
24 particular person. This is a general statement that  
25 applies to any brain.

Page 15

1 BY MR. GURSTEN:  
2 Q. Humor me.  
3 A. Yes.  
4 Q. Okay. You did not do brain modeling in this case?  
5 A. No.  
6 Q. What are you relying upon that you reached your --  
7 your conclusions in this case? You said you did  
8 three experimental studies?  
9 A. Three experimental studies and one computer model  
10 research program.  
11 Q. And are those three experimental studies and one  
12 computer model research program contained in Exhibit  
13 9?  
14 A. No. It has absolutely nothing to do with Exhibit 9,  
15 except an estimate of the angular acceleration.  
16 Q. Okay. Where are those studies?  
17 A. Well, one of the studies is the first reference in  
18 the report, another study is the -- the second to  
19 last reference and the very last lower reference is  
20 a summary of the fourteen years of study that I just  
21 referred to.  
22 Q. Is there anything else?  
23 A. Is there anything else for what?  
24 Q. That would serve as a basis for how you reached your  
25 conclusions in this matter.

Page 16

1 A. Well, fourteen years of research, it can't all be  
2 put on paper. That's --  
3 Q. You've indicated the first study, the fifth study  
4 under references and then the sixth, which is a  
5 summary of your fourteen years of experience?  
6 A. Yes.  
7 Q. Okay. Would you agree, as a general principal, that  
8 if I, as a plaintiff attorney, or if I, as the  
9 plaintiff in a -- in a case, just want to show that  
10 the forces are sufficient to cause brain injury,  
11 that all I need to do is show it using one  
12 parameter?  
13 A. Using one parameter, no. As I said, it's not -- not  
14 reliable. If you -- if you pick the input  
15 parameter, it's not reliable. If you put -- pick  
16 another one, maybe.  
17 Q. Okay. I want to make sure I understand your answer.  
18 If I can show brain injury occurred using a single  
19 input parameter, do you believe that's sufficient  
20 for me to show brain injury?  
21 A. No.  
22 Q. Why?  
23 A. Not input parameter.  
24 Q. Why?  
25 A. Because the brain really doesn't know what you're

Page 17

1 putting into the head. The brain only knows what --  
2 it's injured when it -- it's deformed, so it's a  
3 deformation of the brain that causes the injury.  
4 Not the input.  
5 Q. So you don't believe I could ever show brain injury  
6 using input parameters?  
7 A. That's my new theory, yes.  
8 Q. And when did you arrive at this new theory?  
9 A. Not too long ago.  
10 Q. How long ago?  
11 A. Sometime last year.  
12 Q. How many weeks or months ago?  
13 A. No more than six.  
14 Q. Six weeks, or six months?  
15 A. Months.  
16 Q. Has your new theory that you arrived at six months  
17 ago been replicated, been peer reviewed, been  
18 duplicated?  
19 A. No. Nobody can duplicate our experiments.  
20 Q. I see.  
21 A. We have a unique x-ray equipment that nobody in the  
22 world has.  
23 Q. I see. Let's -- if you would, just humor me a  
24 little bit longer because I want to keep talking  
25 about input parameters a little bit, okay?

Page 18

1 A. Okay.  
2 Q. If you, as a defense biomechanical expert retained  
3 in a case, want to exclude brain injury as a  
4 possibility, would you agree with me that you have  
5 to exclude it as a possibility using all directions  
6 of movement, alone and combined?  
7 A. Sure.  
8 Q. In biomechanics, is this often referred to as the  
9 six degrees of freedom of movement? Or of motion,  
10 pardon me.  
11 A. Well, the head, as a rigid body, has six degrees of  
12 freedom.  
13 Q. Six degrees where it can move?  
14 A. Yes.  
15 Q. Do you agree that more than one motion can occur at  
16 one time?  
17 A. I don't understand the question.  
18 Q. Can you have more than one degree of motion; i.e.,  
19 front, back, left, right and up, down, at one time?  
20 A. Sure.  
21 Q. Okay. No question?  
22 A. No.  
23 Q. Is it possible that you can have all six occur  
24 nearly at the same time?  
25 A. Two different degrees, yes.

Page 19

1 Q. Would you agree with me that this can magnify the  
2 forces on the brain if more than one is occurring at  
3 one time?  
4 A. Not necessarily.  
5 Q. Is it possible?  
6 A. Well, anything is possible, but we don't have data  
7 to demonstrate that.  
8 Q. Well, let me ask you this: If I tug your arm, are  
9 the forces I would generate on your arm -- and I  
10 won't do this, by the way, but if I tug your arm,  
11 are the forces going to be less than if I tug your  
12 arm and twist it at the same time?  
13 A. Well, forces are different. It -- one is a movement  
14 and one is a force, so I don't know what -- what are  
15 you talking about?  
16 Q. Well, you have fourteen years of training and  
17 experience. Do you believe that that's a question  
18 that's somehow unfair?  
19 A. Yeah.  
20 Q. I'm asking you if forces --  
21 A. It's technically incorrect.  
22 Q. All right. If there's more than one zone of motion  
23 occurring on the body at one time, do you believe  
24 overall the forces will be greater than, or less  
25 than if there's only one degree of motion?

Page 20

1 A. Well, it depends on the motion. It could -- it  
2 could be less, it could be more.  
3 Q. Which is it more likely to be, Doctor?  
4 A. I can't tell you that. So many different types of  
5 motions, you've got to give me a specific motion, I  
6 can run the model, I can tell you that.  
7 Q. You can't just answer that for me?  
8 A. No.  
9 Q. Using common sense, you can't just tell me that?  
10 A. No.  
11 Q. In the arm analogy I just gave you, you just can't  
12 say you're right?  
13 A. No.  
14 Q. Okay. Can we concede together, you and I, that as  
15 of today, biomechanics, as a science, is not capable  
16 of excluding the potential for brain injury because  
17 there is no reliable method that can include the  
18 cumulative effects of different parameters of  
19 movement?  
20 A. Cumulative gets very confusing. If you would reword  
21 the question? I don't understand what cumulative  
22 means.  
23 Q. I don't want to be unfair to you. As a doctor, how  
24 would you define cumulative?  
25 A. Repeated impacts.

Page 21

- 1 Q. Okay. Let's use that definition in my question.  
 2 Can you answer it now?  
 3 A. Well, people who suffer repeated impacts may have a  
 4 lower tolerance to injury. Like NFL football  
 5 players, they may get concussed a lot easier than  
 6 somebody who's never been hit in the head before.  
 7 Q. Okay. Let me try my question one more time. Let's  
 8 see if you can answer it now. Can we agree that as  
 9 of today, biomechanics is not capable of excluding  
 10 the potential for brain injury because there is no  
 11 reliable method that can include the cumulative  
 12 effects of different parameters of motion?  
 13 A. Well, you can say that we cannot exclude all of  
 14 these parameters, but our recent data show that  
 15 there are certain parameters that are better  
 16 predictors of injury than others.  
 17 Q. Is that your recent data from six months ago?  
 18 A. Yes.  
 19 Q. Okay. Can I go so far as to say that the answer to  
 20 my question is, generally yes?  
 21 A. No. It may have been generally yes before we came  
 22 up with our results, but as of right now, my opinion  
 23 is that we are homing in on predictors of injury,  
 24 and therefore, we can exclude certain parameters.  
 25 Q. I understand you can exclude certain parameters, but

Page 22

- 1 I'm asking you about all of the different cumulative  
 2 effects of different parameters of motion. Can you  
 3 answer my question now, sir?  
 4 A. Your use the word cumulative again. You're saying,  
 5 if I had multiple motions, is that what you mean?  
 6 If that's the case, then I don't think it matters.  
 7 It's not a question of how many directions of input  
 8 you have to the head. Our studies show that the  
 9 brain doesn't move any more if you rotate it from  
 10 side to side as you rotate it from front to back,  
 11 so --  
 12 Q. Okay. I want to be as fair to you as I possibly  
 13 can, so let me repeat back to you what I think  
 14 you've just said and correct me if I'm mistaken,  
 15 please. Your answer to me would be, yes, I would  
 16 agree with you as of six months ago that as of  
 17 today, biomechanics was not capable of excluding the  
 18 potential for brain injury because there was no  
 19 reliable method that can include the cumulative  
 20 effects of different parameters of motion?  
 21 A. Yes.  
 22 Q. And you feel that this new -- I don't know what you  
 23 call it. This new --  
 24 A. Finding.  
 25 Q. -- finding of yours that has not been peer reviewed,

Page 23

- 1 tested or duplicated now somehow changes that  
 2 answer.  
 3 A. Well, it's so new, how could it be peer reviewed? I  
 4 just came up with it.  
 5 Q. So in other words -- and I think we're going --  
 6 we're closing in now together. Can we say that,  
 7 yes, you're right, but there may be something new,  
 8 we just have to experiment more to find out?  
 9 A. Yes.  
 10 Q. Okay. Do you agree that bony structures within  
 11 different people's skulls are different, they're not  
 12 the same in every person?  
 13 A. Correct.  
 14 Q. Do you agree that people's brains can differ?  
 15 A. Yes, a little bit.  
 16 Q. Do you agree that this is one reason why some people  
 17 are more vulnerable to brain injury?  
 18 A. A brain is a brain. The vulnerability is probably  
 19 more upon the medical history of the person than  
 20 what the brain is like, unless the shape is  
 21 radically different.  
 22 Q. I'm sorry, can you repeat that answer?  
 23 A. The brain is a brain. It's not nothing that you  
 24 could make stronger or weaker.  
 25 Q. What about more vulnerable or susceptible?

Page 24

- 1 A. Susceptibility may be in the weakness in the blood  
 2 vessels and so forth, but not in terms of the axons  
 3 and the -- and the cells in the brain. I think  
 4 their tolerance is pretty much the same from person  
 5 to person.  
 6 Q. So in other words, you don't believe that  
 7 differences in different people's brains or the bony  
 8 ridges within the skull would affect an individual's  
 9 susceptibility or vulnerability to brain damage?  
 10 A. The bony ridges is a -- is a -- is a myth somebody  
 11 came up with. They have no -- no data absolutely to  
 12 show that these are the causes of brain injury. Our  
 13 data show that the bone -- that the brain does not  
 14 slide very much with respect to the skull. Most of  
 15 the motion in a brain is in the center of the brain  
 16 and this business of sliding contusion is somebody's  
 17 imagination.  
 18 Q. Let's turn to Joy Lloyd for a moment. Do you know  
 19 the exact geometry of the internal surfaces of her  
 20 skull?  
 21 A. No.  
 22 Q. Do you know if her brain is more vulnerable than the  
 23 average person's brain to suffer brain injury?  
 24 A. No.  
 25 Q. Turning back to your report for a moment, you argue

1 in your report against using Dr. Ziejewski's --  
2 pardon me?  
3 MR. SZTYKIEL: Dr. Z's.  
4 MR. GURSTEN: You know what, I'm going to  
5 try and avoid having to say that throughout the  
6 deposition. Let me try that again.  
7 MR. SZTYKIEL: I say Dr. Z's.  
8 BY MR. GURSTEN:  
9 Q. You argue against using Dr. Ziejewski's 1,800 rad/s  
10 per second squared as a tolerance limit for brain  
11 injury; is that accurate?  
12 A. Yes.  
13 Q. And when I say rad, I'm talking about radians --  
14 A. Yes.  
15 Q. -- per second squared.  
16 Is it true, Dr. King, that Dr. Ommaya, as  
17 early as 1970, showed that humans can sustain brain  
18 injury at 1,600 radians per second squared?  
19 A. It's in his paper, yes.  
20 Q. And NHTSA uses 1,700 radians per second squared?  
21 A. They don't have a standard by that number.  
22 Q. You don't believe that NHTSA uses the 1,700 radians  
23 per second squared?  
24 A. They may have used it, but I think they're equally  
25 wrong.

1 A. -- because this is my own opinion, my true -- true  
2 opinion and -- and has nothing to do with why he  
3 hired me.  
4 Q. I understand.  
5 A. I said that a long time ago and I'm gonna say that  
6 whether he hires me or not, or whether you hire me.  
7 Q. Noted.  
8 A. This is totally unnecessary.  
9 Q. Sir, I'm sorry. I was just having fun with -- with  
10 the other attorney.  
11 A. You're not having fun at my expense.  
12 Q. Certainly not, and I don't want you to think I was.  
13 Are you ready to continue, sir?  
14 A. Yes.  
15 Q. Okay. Would you like to get a glass of water, or a  
16 cup of coffee? Okay. Let's continue then, okay?  
17 A. Okay.  
18 Q. Okay. Is it true that Dr. Ommaya found, as early as  
19 1970, not only that humans can sustain brain injury  
20 at 1,600 radians per second squared, but they can  
21 suffer very significant brain injury?  
22 MR. SZTYKIEL: I guess I'm going to object  
23 to the use of the word found, in that that implies  
24 that he tested humans, and I don't know that he did.  
25 I mean, he may have concluded that, but I don't know

1 Q. Okay. Does NHTSA have a standard?  
2 A. For angular acceleration of the head, no.  
3 Q. Does NHTSA have any standard, that you're aware of,  
4 that uses 1,700 radians per second squared?  
5 A. No.  
6 Q. You're not aware of any?  
7 A. No.  
8 Q. What is NHTSA?  
9 A. The National Highway Traffic Safety Administration.  
10 Q. Let's go back to Dr. Ommaya for a moment. Dr.  
11 Ommaya is someone that you cite in your -- in your  
12 references as a source, correct?  
13 A. Well, I cited the paper, just because that's where  
14 the number came from.  
15 Q. Is that a paper that you have relied upon, in any  
16 way, in your report?  
17 A. No. I have tried to say that that number is  
18 incorrect, outdated and totally useless.  
19 Q. Okay. Now I'm starting to understand why Mr.  
20 Szykiel hired you, sir.  
21 A. I beg your pardon. This is an implication I do not  
22 like.  
23 Q. I'm -- sir, please --  
24 A. I do not like this --  
25 Q. It's okay.

1 that he did any testing on humans.  
2 MR. GURSTEN: Well, this is a discovery  
3 dep.  
4 MR. SZTYKIEL: Okay, I know.  
5 MR. GURSTEN: Noted.  
6 BY MR. GURSTEN:  
7 Q. Go ahead, Doctor.  
8 A. He did not find anything. He used monkey data and  
9 scaled it up to the human, using unreliable scaling  
10 methods and using unreliable methods to measure  
11 angular acceleration. This paper is very old, it's  
12 no longer valid and it should not be relied upon by  
13 anyone because this thing is thirty some years out  
14 of date.  
15 Q. Well, I understand that's your opinion, but can we  
16 agree that people, including SAE and including  
17 NHTSA, have been relying on this paper for the past  
18 thirty years?  
19 A. Because they don't have any other data to rely on  
20 and we are producing the new data now, to tell you  
21 that this is wrong.  
22 Q. But the answer to my question is yes?  
23 A. The question is that he did not find it, so it's no.  
24 Q. Let me ask my question again, because I don't want  
25 to argue with you. I asked a very simple question



Page 29

1 and I think it can be answered more simply. Is it  
 2 not true that that number has been relied on by  
 3 NHTSA and the SAE for over thirty years?  
 4 A. Not relied on. Has been quoted. There are other  
 5 numbers that have been quoted, so it's just one of  
 6 the numbers that have been quoted.  
 7 Q. Okay. Can we agree that the numbers that Dr. Ommaya  
 8 found as early as 1970 have been used by both NHTSA  
 9 and by the SAE for the past thirty years, true?  
 10 A. I wouldn't even say it has been used.  
 11 Q. True --  
 12 A. It has been quoted.  
 13 Q. -- or false?  
 14 A. False. It has only been quoted.  
 15 Q. And how has it been quoted?  
 16 A. Dr. Ommaya said so. That's it.  
 17 Q. And why is it being quoted in the SAE papers and by  
 18 NHTSA?  
 19 A. Because that's the only data that they had, at that  
 20 time.  
 21 Q. Okay. Now, you have a new set of numbers that you  
 22 came up with six months ago, correct?  
 23 A. No. There are many other papers that I've -- I have  
 24 cited that have other numbers that people also  
 25 quote.

Page 30

1 Q. And you have numbers yourself?  
 2 A. And I have numbers myself.  
 3 Q. That you came up with six months ago?  
 4 A. Which says that angular acceleration is not a  
 5 reliable measure.  
 6 Q. But the federal government, NHTSA, have not chosen  
 7 to adopt your numbers yet, either, have they? Have  
 8 they?  
 9 A. I and the federal government do not get along  
 10 because they don't want to listen to new  
 11 information. That's all.  
 12 Q. Okay. Doctor, because it's almost 11:00 -- I -- I  
 13 don't mind staying here all afternoon, but I don't  
 14 want to have to go round and round with you, so my  
 15 question is very simple, the federal government and  
 16 NHTSA have chosen not to adopt your numbers yet,  
 17 also, correct?  
 18 A. They don't even know about my numbers.  
 19 Q. They don't even know about it?  
 20 A. No.  
 21 Q. Okay. Continuing on page two, you indicate that,  
 22 recent results from our laboratory. What recent  
 23 results from your laboratory are you referring to?  
 24 A. Where are you?  
 25 Q. At the bottom of the page, second sentence, under

Page 31

1 the heading biomechanics of brain injury due to  
 2 blunt injury. Second sentence begins, recent  
 3 results from our laboratory show that the use of a  
 4 single input parameter to explain the mechanism of  
 5 injury or as a tolerance measure such as angular  
 6 acceleration of the head is unreliable and possibly  
 7 erroneous?  
 8 A. Well, I told you that.  
 9 Q. These are your numbers?  
 10 A. So summarized in this last paper.  
 11 Q. This is -- these are the numbers that you came up  
 12 with six months ago?  
 13 A. Yeah. The findings I came up with.  
 14 Q. Okay. Are these the findings that are incorporated  
 15 in the fifth paper under references, by Zang, et al,  
 16 that recent advances in brain injury research, a new  
 17 human head model development and validation?  
 18 A. No, it's in the last paper. It's summarized in the  
 19 last paper.  
 20 Q. Just so I understand your answer, are you saying  
 21 that this new model is not discussed in the prior  
 22 paper I just mentioned to you?  
 23 A. The model is -- is part of my results and the model  
 24 is discussed in the fifth reference, but --  
 25 Q. So the answer --

Page 32

1 A. These results is an accumulation of results over  
 2 four studies that I think I summarized in the last  
 3 paper.  
 4 Q. All right. So when you're talking about recent  
 5 results from our laboratory, you just indicated that  
 6 it's also discussed in the fifth paper that I just  
 7 asked you about, true?  
 8 A. That's part of the recent results.  
 9 Q. Okay. Obviously, you are one of the authors of that  
 10 paper?  
 11 A. Yes.  
 12 Q. Your name appears last?  
 13 A. Yes.  
 14 Q. I assume, you're somewhat familiar with it then?  
 15 A. Yes.  
 16 Q. Did that paper end with the following -- did it end  
 17 with the following conclusions: More -- more  
 18 experimental work is needed to see if this model is  
 19 accurate?  
 20 A. Of course.  
 21 Q. Did it also end with the following quote:  
 22 Well-documented real world head injury cases must be  
 23 simulated and validated before this new tool can be  
 24 used to predict head injury?  
 25 A. Yes.

Page 33

1 Q. This is the problem I'm having, Doctor, so  
2 hopefully, you can help explain it to me: You say  
3 on page two of your report that the response of the  
4 brain to an impact is a more reliable measure, but  
5 in this case, you never did it, true?  
6 A. Correct.  
7 Q. And that same model that you wrote about, you're  
8 saying it must be validated before it can be used to  
9 predict head injury, true?  
10 A. No, no, that's not what I said. I said we need more  
11 validation. This model has been rigorously  
12 validated. This is the only model in the world that  
13 has been rigorously validated against all available  
14 data.  
15 Q. Well-documented real world head injury cases must be  
16 simulated and validated before this new tool can be  
17 used to predict head injury. Am I reading that  
18 correctly?  
19 A. That's in the last paper.  
20 Q. I understand that.  
21 A. Okay. That's in the --  
22 Q. The paper from 2001?  
23 A. That's in the last reference number six, real world  
24 data.  
25 Q. Oh, I see.

Page 34

1 A. That's where --  
2 Q. So now you're saying, you have the sufficient amount  
3 of real world data --  
4 A. Fifty-three cases.  
5 Q. -- and you can use this?  
6 A. Yes.  
7 Q. Fifty-three cases?  
8 A. Yes.  
9 Q. Is that it?  
10 A. Is that it?  
11 Q. Is that it?  
12 A. Does anybody in the world have fifty-three cases of  
13 human concussion data?  
14 Q. Let me ask --  
15 A. You don't know biomechanics.  
16 Q. I don't want to be disrespectful to you, Doctor, and  
17 I don't want to argue with you, so let's move on.  
18 Do you have anything else in that paper,  
19 besides the fifty-three cases of NFL football  
20 players, that you've relied upon to back up your  
21 data?  
22 A. No. That's -- that's a unique set of data nobody  
23 else in the world has.  
24 Q. Oh, it's definitely unique and I'm going to ask you  
25 a lot about that later.

Page 35

1 Let's turn to page two again. On page  
2 two, under the second heading, biomechanical  
3 analysis by Dr. M. Ziejewski, you wrote, the  
4 parameters that he used to simulate the seat back  
5 were far too rigid. Where did you get that  
6 information about the rigidity of the back support?  
7 A. That's in his data set.  
8 Q. So you're talking about his numbers and you felt  
9 that they were far too rigid?  
10 A. Yes.  
11 Q. Where are your numbers going to be contained?  
12 A. In the input data set I will supply you.  
13 Q. That you will supply me by CD tomorrow?  
14 A. Yes.  
15 Q. Or disk?  
16 A. Yes.  
17 Q. Okay. You go on to say that, furthermore, the only  
18 restraints on the neck joints were minor viscous  
19 force. Where did you get that information?  
20 A. Also from his input data set.  
21 Q. And where is your input information?  
22 A. It's also in the input data set I'll give you.  
23 Q. Okay. Go to the last sentence of that paragraph.  
24 You write, at seven miles per hour and with the  
25 duration of sixty milliseconds the angular

Page 36

1 acceleration was 1,180 radians per second squared,  
2 correct?  
3 A. Yes.  
4 Q. I read that correctly?  
5 A. Yes.  
6 Q. Okay. How many calculations did you perform in this  
7 case?  
8 A. Just this one here in Exhibit 9.  
9 Q. Okay. If we change the number that appears here,  
10 seven miles per hour, and we made it eight, how  
11 would that change the angular acceleration?  
12 A. It would probably go up a little bit.  
13 Q. You didn't test it?  
14 A. No.  
15 Q. What about if we made it nine miles per hour?  
16 A. It would go up some more.  
17 Q. But you didn't test it?  
18 A. No.  
19 Q. What if we lowered the milliseconds from sixty to  
20 forty?  
21 A. It would go up a little more.  
22 Q. Dr. King, if you wanted to testify to a jury to  
23 exclude the possibility of brain injury in this  
24 case, wouldn't you want to use those different  
25 numbers and those different millisecond numbers to

1 try and make it as bad as possible, so you could  
 2 exclude it as a possibility?  
 3 A. No, because angular acceleration is not a measure of  
 4 injury to the brain.  
 5 Q. Here we go back again to that, but --  
 6 A. I'm just --  
 7 Q. -- nevertheless, if you wanted to show that Dr.  
 8 Ziejewski --  
 9 A. I'm not finished.  
 10 Q. Please, continue.  
 11 A. I'm just doing this simulation to show that tweaking  
 12 the numbers just to get something like 1,850 radians  
 13 per second is absolutely ridiculous.  
 14 Q. I understand that's your proposition that you're  
 15 offering.  
 16 A. So it's not worth my time to try to prove anything  
 17 using angular acceleration as a measure of injury,  
 18 since it is not.  
 19 Q. Okay. I understand it is your opinion that you have  
 20 offered us that it is, quote, ridiculous to use  
 21 angular acceleration as a predictor for brain  
 22 injury?  
 23 A. Correct.  
 24 Q. Okay. Nevertheless, there is at least some body of  
 25 literature that would say it is not, quote,

1 A. Yes. And even if they did their numbers ten times  
 2 higher than what Dr. Z. proposed, so why -- that's  
 3 why it's ridiculous to use angular acceleration as a  
 4 measure. The numbers run from 1,600 hundred to  
 5 16,000 --  
 6 Q. Doctor, I really don't want to --  
 7 A. -- so which number is it?  
 8 Q. I really don't want to argue with you and I'm not  
 9 trying to argue with you.  
 10 A. No, I'm not arguing. I'm just giving you the facts.  
 11 You're --  
 12 Q. So I'm --  
 13 A. -- homing in on one paper. I'm telling you, there  
 14 are other numbers out there that you don't home in  
 15 on and it is totally unfair --  
 16 Q. So I'm going to ask you --  
 17 A. -- to say that.  
 18 Q. -- since you are an expert witness, if you believe  
 19 your role in this matter should be independent and  
 20 not biased or partisan to either side?  
 21 A. These are the facts. I'm not saying anything else.  
 22 Q. Do you agree that your role in this matter should be  
 23 as an independent expert witness, you should not be  
 24 biased or partisan to either side?  
 25 A. That's true.

1 ridiculous, is there not?  
 2 A. Science is a continuously improving thing. You  
 3 just -- if you just continuously rely upon old data,  
 4 then we'll never get out of this.  
 5 Q. But you shouldn't also rely exclusively on unproven,  
 6 untested, unreliable new data either?  
 7 A. This is proven and tested. We just have not had it  
 8 published and peer reviewed yet.  
 9 Q. But we shouldn't have to rely on new, unproven,  
 10 quote, junk science, in any case?  
 11 A. This is not junk science. I beg your pardon.  
 12 Q. I'm not talking about this. I'm just making a  
 13 proposition of fact. Would you agree?  
 14 A. No.  
 15 Q. Science should not rely upon unproven junk science?  
 16 A. This is not junk science and we have -- we have data  
 17 to show that and I'm pretty sure it will be  
 18 published in due time.  
 19 Q. Okay. But it hasn't yet?  
 20 A. No.  
 21 Q. And all we have right now are your opinions?  
 22 A. Yes.  
 23 Q. Okay. And I'm asking you, is there not at least  
 24 some significant body of literature that does use  
 25 angular acceleration as a predictor for head injury?

1 Q. Okay. Let's go back to my question, please, and I'd  
 2 like you to answer my question. Can we agree that  
 3 there is a significant body of literature out there  
 4 that uses angular acceleration as a predictor of  
 5 head injury, true or false?  
 6 A. Well, yes. Not -- not as a predictor, but as a  
 7 possible cause of brain injury.  
 8 Q. Okay. I understand that you choose not to believe  
 9 in that as an indicator, nevertheless, I am asking  
 10 you, did you ever plug in these different numbers  
 11 either increasing the miles per hour or lowering  
 12 their milliseconds to show that Dr. Ziejewski, or  
 13 others who might believe that, are wrong and that  
 14 head injury could not have occurred in this case;  
 15 did you ever do that?  
 16 A. Well, you don't have to do that because --  
 17 Q. Doctor, please answer my question.  
 18 A. No, I did not.  
 19 MR. GURSTEN: Let's go off the record for  
 20 a second.  
 21 VIDEO TECHNICIAN: Going off the record at  
 22 11:03 a.m.  
 23 (Off the record at 11:03 a.m.)  
 24 (Back on the record at 11:04 a.m.)  
 25 VIDEO TECHNICIAN: Back on the record at

1 11:04 a.m.  
 2 MR. GURSTEN: Okay. Doctor, we're going  
 3 to continue now.  
 4 BY MR. GURSTEN:  
 5 Q. Are you saying, or is it your opinion in this matter  
 6 that Joy Lloyd was not injured, in any way, after  
 7 being rear-ended by the defendant's semi truck?  
 8 A. Correct.  
 9 Q. That is your opinion?  
 10 A. Yes.  
 11 MR. SZTYKIEL: We're talking about brain  
 12 injury, right?  
 13 MR. GURSTEN: No.  
 14 BY MR. GURSTEN:  
 15 Q. I asked if you were saying that Joy Lloyd was not  
 16 injured, in any way, after being rear-ended by  
 17 defendant's semi truck?  
 18 A. Correct.  
 19 Q. Just so we're clear, that is your opinion to this  
 20 jury?  
 21 A. Yes.  
 22 MR. SZTYKIEL: And understand what he's  
 23 asking you, Doctor, is, he's asking you to exclude  
 24 orthopedic injuries. He's going above and beyond  
 25 the brain. I mean --

1 injured?  
 2 A. No permanent injury.  
 3 Q. I'm not sure you understand my question.  
 4 MR. SZTYKIEL: I know I don't.  
 5 BY MR. GURSTEN:  
 6 Q. You don't have a crystal ball, correct? You can't  
 7 predict things, or read things if you don't have the  
 8 documents. If you don't have the medical records,  
 9 how can you say that you have found things in the  
 10 medical records to say that Joy Lloyd was not  
 11 injured?  
 12 A. From the medical records that I have, there is no  
 13 sign of any permanent injury.  
 14 Q. What medical records are those?  
 15 A. The emergency records and the few other records  
 16 shortly thereafter.  
 17 Q. How shortly thereafter?  
 18 A. In December of '99.  
 19 Q. So we're talking within one month of the motor  
 20 vehicle accident?  
 21 A. Correct.  
 22 Q. Okay. We agree that you do not have the complete  
 23 medical records, which are quite voluminous, of the  
 24 twelve doctors who are rendering care to Joy Lloyd;  
 25 is that true?

1 THE WITNESS: That's right. That's okay.  
 2 MR. SZTYKIEL: Okay.  
 3 BY MR. GURSTEN:  
 4 Q. You do not have the complete medical records of the  
 5 twelve treating doctors who have been rendering care  
 6 for Joy Lloyd; is that true?  
 7 A. That's true.  
 8 Q. You only have the emergency room record; is that  
 9 true?  
 10 A. And a few subsequent records about neck pain.  
 11 Q. Okay. Since you don't have all of the medical  
 12 records, is it fair to say that you found nothing in  
 13 your review of the medical records themselves, that  
 14 would indicate that Joy Lloyd was not injured in  
 15 this case?  
 16 A. Well, this is a minor rear-end impact and there does  
 17 not seem to be any sign of any permanent injury in  
 18 her, and so subsequent medical records probably show  
 19 all kinds of complaints, but I don't think they're  
 20 related to that impact.  
 21 Q. Okay. Doctor, we're going to go round and round.  
 22 My question to you was: Since you don't have all of  
 23 the medical records in this case, is it fair to say  
 24 that you would have found nothing in the medical  
 25 records that would indicate that Joy Lloyd was not

1 A. That's true.  
 2 Q. Is it fair to say that you have found nothing in  
 3 your records, because you do not have them, that  
 4 would lead you to opine that Joy Lloyd was not  
 5 injured by reviewing the medical records --  
 6 A. Well, I didn't --  
 7 Q. -- is that true?  
 8 A. I didn't say that I would find nothing in there. I  
 9 just said that based on the input acceleration --  
 10 input of the -- into the body at the -- in that  
 11 accident, I do not believe that there can be any  
 12 permanent injury.  
 13 Q. I understand that and we're going to get to that ad  
 14 nauseam.  
 15 MR. SZTYKIEL: You know, I'll stipulate  
 16 that he found nothing in records he didn't see.  
 17 MR. GURSTEN: That's fine, but I want  
 18 to --  
 19 MR. SZTYKIEL: Okay.  
 20 MR. GURSTEN: -- establish his bias, and  
 21 if he's going to go round and round with me, I want  
 22 it on the record.  
 23 BY MR. GURSTEN:  
 24 Q. So Doctor, I'm going to ask you again --  
 25 MR. SZTYKIEL: It's a confusing question

Page 45

1 if you just say, isn't it true that you found  
2 nothing in something you didn't look at.  
3 MR. GURSTEN: Well, that's -- that's why  
4 it's so preposterous and that's why I keep asking  
5 it.  
6 MR. SZTYKIEL: No, no, I -- it's so long  
7 that I think -- but okay.  
8 MR. GURSTEN: Noted, Witold.  
9 MR. SZTYKIEL: I mean, I -- it took me  
10 three times to get it.  
11 MR. GURSTEN: Well, let me -- let me try  
12 and clear it up.  
13 BY MR. GURSTEN:  
14 Q. Your opinion that Joy Lloyd was not injured in this  
15 case is not based upon your review of all of her  
16 medical records?  
17 A. Correct.  
18 Q. Okay. You are not a medical doctor?  
19 A. No.  
20 Q. You are not a trauma epidemiologist?  
21 A. No.  
22 Q. Do you hold yourself out as qualified to render  
23 opinions as an expert accident reconstructionist?  
24 A. I do some accident reconstruction, but I'm not an  
25 exclusive expert in accident reconstruction.

Page 46

1 Q. Well, exclusive might mean one thing to someone and  
2 one thing to something else. Let me ask that again.  
3 Do you hold yourself out before Judge Borman to  
4 testify in court, to render expert opinions as an  
5 expert accident reconstructionist?  
6 A. To a certain extent, yes.  
7 Q. Okay. Do you investigate crashes routinely?  
8 A. Not routinely, no.  
9 Q. Do you do crush measurements?  
10 A. Not routinely, no.  
11 Q. Do you measure skid marks?  
12 A. Not routinely, no.  
13 Q. Do you -- do you perform investigations at the sites  
14 of crashes?  
15 A. I used to.  
16 Q. How long ago?  
17 A. A long time ago.  
18 Q. How long ago?  
19 A. While I was a graduate student.  
20 Q. How long ago?  
21 A. Thirty some years ago.  
22 Q. Do you conduct crash tests?  
23 A. Yes.  
24 Q. I'll ask the question again now. Based upon the  
25 different indicators I've just gone over with you,

Page 47

1 do you believe that you are qualified to render  
2 expert opinions as an accident reconstructionist in  
3 court?  
4 A. Yes.  
5 Q. Are you going to tell this jury that you have  
6 performed a medical examination on Joy Lloyd and  
7 that she was not injured in this crash?  
8 A. No.  
9 Q. Is it fair to say, Doctor, that since you don't even  
10 have her treatment records, you don't even know what  
11 all of her injuries actually are?  
12 A. I -- I don't know what her complaints are, but since  
13 I'm trained in understanding of causation of injury,  
14 I can say that this accident did not cause any  
15 permanent injury.  
16 Q. I understand that's your opinion. Nevertheless, can  
17 we say that since you don't have those medical  
18 records, you at least don't know what those medical  
19 records by the treating medical doctors for  
20 rendering care for her say are her injuries?  
21 A. That's true.  
22 Q. Okay. I would like you to please assume that Joy  
23 Lloyd suffered the following injuries: Traumatic  
24 brain injury, clinical depression, rotator cuff tear  
25 requiring surgery, blackouts, concussion of the

Page 48

1 temporal bones via audiogram, frontal and parietal  
2 lobe injuries by PET scan, seven or eight different  
3 ophthalmologic surgeries, hearing loss, dizziness.  
4 I also would like you to assume, for purposes of my  
5 question, that she was awarded Social Security  
6 Disability to the date that she was rear-ended by  
7 this truck and she continues to receive twelve hours  
8 of attendant care every day. Further, that she is  
9 taking seven different prescription medications  
10 every day, over nineteen pills a day supervised by  
11 her treating psychiatrist. Now, are you aware of  
12 Joy Lloyd receiving medical treatment before she was  
13 rear-ended by this truck, for any of those injuries  
14 that I have described to you?  
15 MR. SZTYKIEL: Because you haven't  
16 indicated it's a discovery only dep, I feel the need  
17 to -- object. I mean, if you're telling me you're  
18 not going to play this at trial, then I'll -- I'll  
19 not say anything, but until that time happens, I'm  
20 going to object to the mentioning of Social Security  
21 Disability benefits.  
22 MR. GURSTEN: Okay. Noted.  
23 BY MR. GURSTEN:  
24 Q. Go ahead, Doctor.  
25 A. Well, I'm aware of some of the problems she

1 complained of in her deposition, but to me, this --  
2 these are all not related to -- to that accident.  
3 Q. Doctor, do you remember my question?  
4 MR. SZTYKIEL: I think he's just asking  
5 you if you have any preaccident medical history.  
6 THE WITNESS: If she had any --  
7 MR. GURSTEN: Let's try this again,  
8 because I probably will be playing this for a jury.  
9 And I want to state, for the record, that your  
10 objection will be noted so you don't need to object.  
11 I'm going to ask this question again and I'd like  
12 you to answer my question.  
13 BY MR. GURSTEN:  
14 Q. Dr. King, I would like you to please assume the  
15 following, that Joy Lloyd suffered the following  
16 injuries as a result of being rear-ended by this  
17 truck: Traumatic brain injury, clinical depression,  
18 a rotator cuff tear requiring surgery, blackouts,  
19 concussion of the temporal bones by audiogram,  
20 frontal and parietal lobe injuries by PET scan,  
21 seven or eight different ophthalmologic surgeries,  
22 hearing loss and dizziness. I also want you to  
23 assume that she continues to receive twelve hours of  
24 attending care prescribed by her physician every day  
25 and that she takes seven different medications,

1 think a rear-end impact would cause any clinical  
2 depression.  
3 Q. Does clinical depression cause rotator cuff tears?  
4 A. Rotator cuff tears do not occur in rear-end impact,  
5 so that's another --  
6 Q. Do -- does clinical depression --  
7 A. I have -- I have studied that --  
8 Q. -- cause rotator cuff tears, Doctor?  
9 A. I am not finished.  
10 Q. I want you to answer my question, please. Does  
11 clinical depression --  
12 MR. SZTYKIEL: He's allowed to finish his  
13 answer.  
14 MR. GURSTEN: All right.  
15 THE WITNESS: Rotator --  
16 MR. GURSTEN: Go ahead.  
17 THE WITNESS: -- cuff tears are not caused  
18 by rear-end collisions.  
19 BY MR. GURSTEN:  
20 Q. If the treating orthopedic surgeon in this case  
21 testifies, subject to foundation, that he has  
22 rendered treatment to hundreds of people who have  
23 suffered rotator cuff injuries as a result of being  
24 rear-ended in car crashes --  
25 A. I'd suggest he come and take a biomechanics course

1 nineteen pills every day, supervised by her treating  
2 psychiatrist. Now, my question to you, are you  
3 aware of Joy Lloyd receiving medical treatment  
4 before she was rear-ended by the defendant's semi  
5 truck for any of those injuries?  
6 A. I do not have any records of that.  
7 Q. Are you aware of her taking any type of prescription  
8 medication before she was rear-ended by defendant's  
9 semi truck?  
10 A. No.  
11 Q. Are you aware of her receiving any type of medical  
12 treatment, for any of those injuries that I have  
13 just described to you, before she was rear-ended by  
14 defendant's semi truck?  
15 A. No.  
16 Q. Are you aware of her receiving attendant care for  
17 assistance before she was rear-ended by defendant's  
18 semi truck?  
19 A. No.  
20 Q. Do you have any opinions, assuming you don't believe  
21 the defendant's semi truck caused these injuries,  
22 for what caused them?  
23 A. What caused all of her problems? Well, if you -- if  
24 you throw in clinical depression, that could be a  
25 major cause of a lot of those problems and I don't

1 from me because there's no biomechanical basis for  
2 that.  
3 Q. That's your opinion?  
4 A. That's always my opinion, because I teach that.  
5 Q. I see. Just so we're clear, are you saying it is  
6 impossible to suffer a shoulder injury as a result  
7 of being rear-ended?  
8 A. Virtually impossible.  
9 Q. Is your report saying that it is impossible that Joy  
10 Lloyd was injured as a result of being rear-ended by  
11 the defendant's semi truck?  
12 A. Virtually impossible.  
13 Q. Virtually impossible is a different answer. Is your  
14 report saying it is impossible or just extremely  
15 unlikely that Joy Lloyd was injured as a result of  
16 being struck by the defendant's semi truck?  
17 A. I would say extremely unlikely. I wouldn't like to  
18 use the word impossible.  
19 Q. Would I be correct that your report is basically  
20 saying that it is possible not to be injured at  
21 higher levels of angular acceleration?  
22 A. If you use angular acceleration as a measure, then I  
23 say that these angular accelerations are well within  
24 the limits of what the -- the NFL players are  
25 sustaining and so --

Page 53

1 Q. We're back to the NFL players?  
2 A. Where brain injury is not a permanent injury.  
3 Q. Is your report saying that it is not possible to be  
4 injured at these angular acceleration forces?  
5 A. Correct.  
6 Q. Okay. Can we agree that it is impossible for you to  
7 tell me how much force it would take to injure my  
8 client, Joy Lloyd, because you have never tested her  
9 to find out?  
10 A. I don't want to answer questions that are double  
11 negatives. Can you do that again?  
12 Q. Can you tell me how much force it would take to  
13 injure Joy Lloyd without testing her?  
14 A. Well, I -- I find it impossible to answer that  
15 question. I cannot test a living human being. I  
16 don't know how to answer that.  
17 Q. But you can test --  
18 A. You want me to put her on the sled and test her?  
19 Q. Doctor, I don't want to argue with you. I'm asking  
20 you --  
21 A. I don't understand the question. What do you mean  
22 by testing her?  
23 Q. Can you tell me the exact force it would take to  
24 injure her without testing her first?  
25 A. I cannot test her and I cannot tell you that.

Page 54

1 Q. The answer is, you cannot tell me that?  
2 A. No.  
3 Q. Can we agree that different people have different  
4 injury thresholds?  
5 A. Yes.  
6 Q. Can we agree that a twenty-five-year-old, 250 pound,  
7 6'3" inch football player is less likely to be  
8 injured in the same car crash as a forty something  
9 year-old woman, who is 5'4" and let's say weighs 140  
10 some pounds?  
11 A. That depends on the injury. If it's a brain, it's  
12 probably the same.  
13 Q. What about the rest of the body?  
14 A. If it's a rib cage, no, she's weaker.  
15 Q. What about your neck?  
16 A. Yeah, she's weaker in the neck, as well.  
17 Q. Back?  
18 A. Yeah, she's weaker in the back.  
19 Q. Shoulder?  
20 A. Yes.  
21 Q. You're saying that it would take exactly the same  
22 force to injure a 250 pound NFL linebacker who runs  
23 a four, five, forty as it would to injure a forty  
24 something year-old woman who weighs 140 some pounds  
25 and is 5'4" inches?

Page 55

1 A. That's not what I said. I said about the brain,  
2 it's the same.  
3 Q. You're saying for the brain?  
4 A. Yes.  
5 Q. I'm going to ask you about a number of different  
6 factors and I want to -- you to tell me whether  
7 these factors can affect injury threshold in a  
8 rear-end automobile crash.  
9 Height of the occupant?  
10 A. Possibly, depending on his headrest.  
11 Q. Body weight?  
12 A. What injury are you talking about?  
13 Q. Injury thresholds in a rear-end crash.  
14 A. For what?  
15 Q. Doctor, it's a real simple question.  
16 MR. SZTYKIEL: No. He means for what  
17 injury; head injury --  
18 MR. GURSTEN: I know.  
19 MR. SZTYKIEL: -- neck injury?  
20 THE WITNESS: For body? Which part of the  
21 body.  
22 BY MR. GURSTEN:  
23 Q. If you would like, I will go through all eight  
24 different injuries that Joy Lloyd has claimed, but  
25 I'm asking you right now as a general proposition

Page 56

1 regarding each of these indicators.  
2 A. You're talking about Joy Lloyd specifically?  
3 Q. I'm talking -- I'm asking you about general  
4 propositions, if these are different indicators of  
5 vulnerability for someone who may have different  
6 vulnerability for injury thresholds as a result of  
7 being rear-ended in an auto crash?  
8 A. Are you talking about the very minor kind of a crash  
9 we talk -- we have here?  
10 Q. Are there any other qualifications you want to make  
11 before I ask you these questions?  
12 A. Well, I don't know. You -- you ask the questions.  
13 I just want to tighten the limitations so that we  
14 don't go all over the map.  
15 Q. Okay. Why don't you humor me again first and let me  
16 just ask you, the following questions are accepted  
17 generally as indicators of increased vulnerability  
18 to injury thresholds, in general, in rear-end auto  
19 cases. Ready?  
20 A. What's the question?  
21 Q. Is gender one of the factors that can affect -- that  
22 can affect injury threshold?  
23 A. In general, yes, but in this minor accident, no.  
24 Q. What about height?  
25 A. Same thing.

Page 57

1 Q. What about the occupant's fitness level?  
2 A. Same thing.  
3 Q. What about gender and age?  
4 A. In general, yes, but in this case, because it's so  
5 minor, no.  
6 Q. What about osteoporosis and arthritis?  
7 A. Arthritis can cause pain but not permanent injury.  
8 Q. Is arthritis one of the factors that can affect  
9 injury threshold?  
10 A. No, it's just causing pain. Pain is not necessarily  
11 equal to injury.  
12 Q. What about osteoporosis, Doctor?  
13 A. It can cause fracture, but not in this case.  
14 Q. What about head position?  
15 A. It can make -- increase the motion a little bit, but  
16 again, in this case, it's insignificant.  
17 Q. What about body position?  
18 A. Same thing.  
19 Q. Prior injuries?  
20 A. That depends on what prior injuries. I can't tell  
21 you.  
22 Q. Okay. Well, let's assume, in this case -- let's  
23 assume the person, the occupant of the vehicle had  
24 had a triple laminectomy of her neck, would that  
25 increase her vulnerability?

Page 58

1 A. Triple laminectomy -- if it wasn't fused, yes. If  
2 it was fused, probably not.  
3 Q. What about seat position?  
4 A. I don't think that's too important. Maybe the seat  
5 back angle may be more important.  
6 Q. What about stiffness?  
7 A. Stiffness of what?  
8 Q. The seat.  
9 A. The stiffer the seat, the more head rotation you'll  
10 get, head angular acceleration you'll get.  
11 Q. Head restraint position?  
12 A. The farther back it is, the lower it is, you get  
13 higher angular acceleration.  
14 Q. Whether someone is wearing a seat belt and a lap  
15 restraint?  
16 A. Probably minimal difference.  
17 Q. What about whether somebody is anticipating the  
18 crash or not?  
19 A. There's a recent study that said that anticipation  
20 helps a little bit, but the startle effect is  
21 probably minimal.  
22 Q. Vehicle weight and velocity?  
23 A. That goes to the severity of the impact. The  
24 more -- the heavier the impacting vehicle, the more  
25 severe the impact.

Page 59

1 Q. Vehicle plasticity and elasticity?  
2 A. That's a minor factor.  
3 Q. Road friction?  
4 A. That's a minor factor.  
5 Q. Brake application?  
6 A. That's not too important.  
7 Q. Vehicle heights and impact angles?  
8 A. The higher the bumper of the impacting vehicle, if  
9 it doesn't intersect with the bumper of the impacted  
10 vehicle, then the impact severity -- impact severity  
11 is lower.  
12 Q. Torque?  
13 A. I don't understand what torque means. What torque?  
14 Torque applied to what?  
15 Q. You can't opine whether torque -- the degree of  
16 torque at impact can affect injury threshold in a  
17 rear-end crash?  
18 A. You mean, twisting of the vehicle? A lot of these  
19 things in these minor cases are what I call  
20 symptom-producing factors, which can induce  
21 temporary pain, but not permanent injury because of  
22 preexisting arthritic conditions.  
23 Q. What about torque?  
24 A. It can maybe induce more pain temporarily, but not a  
25 permanent injury.

Page 60

1 Q. What about sheering?  
2 A. Same thing.  
3 Q. Tension?  
4 A. Same thing. It's not gonna cause any permanent  
5 injury, in this case.  
6 Q. Are any of these factors that you and I have just  
7 gone over, and I think we've just gone over about  
8 twenty to thirty of them, do any of them affect your  
9 conclusions about injury threshold in this rear-end  
10 auto crash?  
11 A. No. They're all well within the activities of daily  
12 living, so there's no -- there's no injury.  
13 Q. Let's turn to your NFL study, please. Under  
14 conclusions, your second conclusion is that there is  
15 recent data from the NFL, and that's been discussed  
16 in your paper, correct?  
17 A. Yes.  
18 Q. Page two and the top of page three, correct?  
19 A. Yes.  
20 Q. And that was your analysis of fifty-three cases of  
21 mild concussion among NFL football players, correct?  
22 A. Yes.  
23 Q. Using game films?  
24 A. Yes.  
25 Q. Is Joy Lloyd a football player in the NFL?



1 A. No.  
 2 Q. Does Joy Lloyd have the same average height, weight,  
 3 gender or age as a football player in the NFL?  
 4 A. No.  
 5 Q. Does she have the same level of fitness?  
 6 A. No.  
 7 Q. Can we agree that NFL players have almost freakish  
 8 size, speed and strength relative to the average  
 9 population?  
 10 A. Yes.  
 11 Q. Do you believe Joy Lloyd has the same size, speed  
 12 and strength versus the average population?  
 13 A. She's average, probably.  
 14 Q. Probably?  
 15 A. Yeah.  
 16 Q. Have you performed any calculations, or is that just  
 17 your guess?  
 18 A. Well, I have no idea what the -- her specific brain  
 19 properties might be, but she -- normally, everybody  
 20 has average properties. That's all you can assume.  
 21 Q. These fifty-three cases of football players were  
 22 compiled using game film, correct?  
 23 A. Yes.  
 24 Q. They were not crash tested?  
 25 A. No.

1 differences in probability of injury for various  
 2 groups within our population?  
 3 A. I sure hope so, because we published with less  
 4 numbers in the past.  
 5 Q. What is a confidence interval?  
 6 A. That's the interval in which you have confidence  
 7 that this might occur within a certain probability.  
 8 Q. Is it generally accepted using statistical criteria  
 9 that the confidence coefficient in studies should be  
 10 set at .95?  
 11 A. Yes.  
 12 Q. Would you agree that, in general, statistical design  
 13 of an experimental investigation calls for random  
 14 selection of subjects from the population to which  
 15 inferences should be drawn?  
 16 A. If you can do that, yes.  
 17 Q. When this condition has been met, it is then  
 18 possible to form interval estimates of population  
 19 parameters?  
 20 A. Well, the -- our argument is that the brain is a  
 21 brain, whether you're an NFL player or -- you're a  
 22 human being. Your brain tolerance is -- is the  
 23 same, and therefore, it doesn't matter whether you  
 24 select them from the NFL players or Joe Blow on the  
 25 street, so we are doing random selection.

1 Q. They were not put in like target vehicles that Joy  
 2 Lloyd was in and struck by like bullet vehicles like  
 3 the semi truck that struck her, true?  
 4 A. True.  
 5 Q. Doctor, do you believe that a statistician who would  
 6 be used in a scientific study to investigate  
 7 differences in probability of injury for various  
 8 groups would find that your study of fifty-three  
 9 football players from the NFL would be an adequate  
 10 sample size?  
 11 A. I don't know what you -- what are you asking me?  
 12 Q. Do you believe that fifty-three NFL players is a  
 13 sound and adequate sample size to draw conclusions  
 14 relative to the average population?  
 15 A. Absolutely. We do --  
 16 Q. And do you believe --  
 17 A. We do --  
 18 Q. I'm sorry, continue to answer.  
 19 A. We do -- we draw conclusions from a lot less cadaver  
 20 studies all the time. Fifty-three is a huge number  
 21 for our -- for our analysis.  
 22 Q. Do you believe that a statistician, someone who has  
 23 a doctorate in methodology of research, would agree  
 24 with you, that fifty-three NFL players represents an  
 25 adequate sample size to draw conclusions about

1 Q. When this condition has been met, it is possible to  
 2 form interval estimates of population parameters?  
 3 A. Yes.  
 4 Q. In order to investigate differences in probability  
 5 of injury for various groups within the population,  
 6 an adequate size sample of members from each group  
 7 must be used in a like rear-end collision experiment  
 8 in order to establish sufficiently narrow confidence  
 9 intervals?  
 10 A. No. That's bologna. We are talking about head  
 11 angular acceleration here and if that's what your  
 12 tolerance measure is, then it doesn't matter how you  
 13 got hit. It's the angular acceleration that we're  
 14 talking about.  
 15 MR. GURSTEN: Counsel, at this point, I'm  
 16 going to reserve the right to call a statistician as  
 17 a rebuttal witness at time of trial.  
 18 BY MR. GURSTEN:  
 19 Q. Doctor, my question to you is very simple.  
 20 MR. SZTYKIEL: So long as you let me  
 21 depose him before you call him.  
 22 BY MR. GURSTEN:  
 23 Q. My question to you is very simple.  
 24 MR. GURSTEN: Well, as a rebuttal witness,  
 25 if he testifies to this and it's actually admitted

Page 65

1 by the judge in court.  
2 BY MR. GURSTEN:  
3 Q. My question to you, Doctor, is simple. Do you  
4 believe a Ph.D. statistician would say that your  
5 sample size of fifty-three NFL male football players  
6 allows you to draw valid inferences to the general  
7 population, and to Joy Lloyd with specificity?  
8 A. Well, I don't know why you'd bring in a  
9 statistician. They know nothing about biomechanics.  
10 Q. Doctor --  
11 A. So I don't think that's a legitimate question.  
12 MR. GURSTEN: Would you read back my  
13 question, please?  
14 (The requested portion of the record was  
15 read by the reporter at 11:29 a.m.)  
16 THE WITNESS: Yes, I think so, if I can  
17 convince him that the NFL football players' brain is  
18 no different than Joy Lloyd's brain.  
19 BY MR. GURSTEN:  
20 Q. Do you believe that your sample size of fifty-three  
21 NFL football players and its data that you've  
22 derived from it, assuming that you meet indicators  
23 of statistical reliability, Doctor, do you believe  
24 that that data allows you to prove that it was  
25 impossible for Joy Lloyd to be injured, or just that

Page 66

1 it was unlikely for Joy Lloyd to be injured?  
2 A. I don't like to use impossible. It's extremely  
3 unlikely.  
4 Q. It is still possible that Joy Lloyd would have been  
5 injured?  
6 A. Yeah. A rock can drop on my head right now. That's  
7 also possible.  
8 Q. It was still possible for Joy Lloyd to be injured,  
9 true or false?  
10 A. True.  
11 Q. Turn to your fifth conclusion. Have you had a  
12 chance to review it, Doctor?  
13 A. Yes.  
14 Q. Are you saying that her head striking the glass  
15 behind her was a good thing, that it helped lessen  
16 the severity of the impact?  
17 A. Yes.  
18 Q. Do you believe it would also then be a good thing if  
19 we move car windshields to two inches in front of  
20 our heads when we drive?  
21 A. No, no. It's only a good thing because it broke.  
22 Q. You're saying it's a good thing that her head broke  
23 the glass?  
24 A. Yes.  
25 Q. If her head did not break the glass, would that have

Page 67

1 increased or decreased the severity of the forces on  
2 her brain?  
3 A. Increased.  
4 Q. If it did not break?  
5 A. Correct.  
6 Q. How do you know the glass had been scratched prior  
7 to the accident?  
8 A. Because Dr. Nyquist did the whole series of  
9 experiments and that's how he concluded that.  
10 Unless he scratched the glass, he cannot break it.  
11 Q. Did Dr. Nyquist use this vehicle in his sample  
12 population?  
13 A. No.  
14 Q. Did he use the year of this vehicle?  
15 A. No. Close. It was eighty something.  
16 Q. Did he use either volunteers or crash test dummies?  
17 A. Dummies. He used dummies.  
18 Q. Did those dummies have the exact height, weight  
19 characteristics as Joy Lloyd?  
20 A. It doesn't matter.  
21 Q. Did --  
22 A. Just a matter of --  
23 Q. -- those crash test dummies have the same height --  
24 A. No.  
25 Q. -- and weight --

Page 68

1 A. No.  
2 Q. -- as Joy Lloyd?  
3 A. No.  
4 Q. Did those dummies have the same skull -- inside of  
5 their skull formation, same brain, same  
6 vulnerabilities or susceptibilities to injury as Joy  
7 Lloyd?  
8 A. No. He wasn't looking for brain injury. He was  
9 looking for head acceleration.  
10 Q. Doctor, I want you to assume that I step off of a  
11 curb every day on my way to work with no problem for  
12 ten years, and then one day I step off that curb and  
13 I turn my ankle. Can we first agree that an injury  
14 did not occur to my ankle in those first ten years  
15 of me stepping off the curb?  
16 A. Yes.  
17 Q. Can we agree that an injury did not occur the first  
18 couple thousand times that I stepped off that curb?  
19 A. Correct.  
20 Q. But can we agree that an injury may have occurred on  
21 the 2001st time that I stepped off that curb?  
22 A. Yes.  
23 Q. Can we agree that a biomechanical engineer can be  
24 hired to say that it is unlikely that I will turn my  
25 ankle by stepping off a curb?

1 A. I don't think so.  
2 Q. Can a biomechanical engineer be hired after the  
3 fact, after I have already turned my ankle, to say  
4 that I could not have turned my ankle?  
5 A. No.  
6 Q. And that's because it's already occurred, correct?  
7 A. Correct.  
8 Q. I would like you to assume, Doctor, in the  
9 hypothetical I'm about to give you that there is  
10 approximately a one in one million chance that  
11 someone will die in a plane crash, which is actually  
12 pretty accurate to the real numbers, but I'd like  
13 you to assume that hypothetical, okay?  
14 A. Okay.  
15 Q. Do you think it would be helpful to a jury to have  
16 an expert testify in a plane crash death case, for  
17 that jury to hear an expert say that it is very  
18 unlikely that someone will die in a plane crash?  
19 A. That's true. It's very unlikely.  
20 Q. Can we agree that if the expert testifies that most  
21 people, or the average person will not die in a  
22 plane crash, do you believe that will be helpful or  
23 not helpful to a jury in a plane crash death case?  
24 A. I don't understand the question. I don't know what  
25 you're driving at.

1 A. Sure.  
2 Q. If we can agree that the odds of someone dying in a  
3 plane crash are one in one million beforehand, what  
4 are the odds or the likelihood of someone dying in a  
5 plain crash once that person is already dead?  
6 A. A hundred percent.  
7 Q. If, in a very low speed or low impact damage car  
8 vehicle -- let me strike that and start over.  
9 In, if a low speed or low damage impact  
10 car crash case the chance to be hurt is very  
11 unlikely, but treating doctors have already  
12 testified and found that that person was injured,  
13 can we agree that such testimony would be likewise  
14 ridiculous?  
15 MR. SZTYKIEL: I'm going to object to the  
16 form of the question in that my expectation is, is  
17 that treating physicians will testify only that it  
18 is their opinion that the plaintiff has been  
19 injured.  
20 MR. GURSTEN: Noted.  
21 Let me ask that question again because  
22 I -- I plan on playing this back.  
23 BY MR. GURSTEN:  
24 Q. My question to you is this, Doctor: If in a low  
25 speed or low damage car crash case, do you believe

1 Q. Oh, I think it's pretty clear. Let me ask that  
2 again. If we have a plane crash death case, do you  
3 think it's helpful to a jury to hear testimony from  
4 an expert that it's very unlikely for someone to die  
5 in a plane crash?  
6 A. No, it's not helpful.  
7 Q. And do you think it would be likewise helpful for a  
8 jury to hear an expert testify that most people, or  
9 an average person would not die in a plane crash?  
10 Do you think that would be helpful in a plane crash  
11 death case?  
12 A. An average person will not die in a plane crash? An  
13 average person will die in a plane crash. I don't  
14 understand the question.  
15 Q. Let me try this again. Do you believe it would be  
16 helpful for a jury to hear, in a plane crash death  
17 case where someone is already dead, from an expert  
18 who would opine that because the chances of dying in  
19 a plane crash are one in one million, that it is  
20 very unlikely, or that the average person will never  
21 die in a plane crash?  
22 A. No, I don't think experts will say that.  
23 Q. Can we agree that such testimony from an expert  
24 would be ridiculous in the face of a pathologist who  
25 is testifying that someone is already dead?

1 it would be helpful for a jury to hear that the  
2 chances of someone being hurt are very unlikely when  
3 treating doctors have already indicated that that  
4 person is injured?  
5 MR. SZTYKIEL: Same objection.  
6 THE WITNESS: First of all, I do not  
7 believe doctors are qualified to correlate the  
8 injuries to the event. They can note dysfunctions  
9 and maybe symptoms related to whatever you call  
10 injury, but we are the ones who study injury and the  
11 cause of injury, and it's based on the cause and the  
12 input that I'm saying that it's unlikely. It's got  
13 nothing to do with statistics. It's got nothing to  
14 do with what the doctor says. I'm saying, the  
15 injury is not caused -- whatever it is, is not  
16 caused by this accident.  
17 BY MR. GURSTEN:  
18 Q. Let's assume the Federal Rules of Civil Procedure  
19 and the Rules of Evidence disagree with your  
20 opinion --  
21 A. Well, I'm sorry, but the Federal Rules do not  
22 understand the Daubert. They just always believe in  
23 the doctor and they think the engineer is not  
24 trained to do this, but actually, the engineer is  
25 the only one -- the biomechanical engineer is the

Page 73

1 only one who can give you causes of injury. Nobody  
2 else.  
3 Q. I understand that's your opinion, Doctor.  
4 A. That's a fact of life.  
5 Q. I see.  
6 A. That's our training. You have -- has every -- every  
7 doctor been trained to understand causation of  
8 injury? Do they teach that in the medical school?  
9 They do not.  
10 Q. I think they do.  
11 A. They go by history and that's not reliable.  
12 Q. Let's -- let's go back to my question, please. Do  
13 you remember my question, sir?  
14 MR. SZTYKIEL: I think he answered it,  
15 actually.  
16 MR. GURSTEN: Well, maybe. I'll -- I'll  
17 take an answer now, though, because I don't believe  
18 he did.  
19 BY MR. GURSTEN:  
20 Q. Do you remember my question or would you like me to  
21 repeat it, sir?  
22 A. I think I've just answered whatever you say and  
23 that's it.  
24 Q. Well, that's not it.  
25 A. Not gonna answer it again.

Page 74

1 Q. Well, this is my discovery deposition, so --  
2 A. Then you better --  
3 Q. Let's try one more time, with all respect, and  
4 listen to my question and tell me if you can answer  
5 it without giving me your opinion on what doctors  
6 should or should not be able to testify to under the  
7 Rules of Evidence.  
8 A. Because your question is not according to the facts  
9 of what the engineers are supposed to do, and  
10 therefore, your question is wrong.  
11 Q. Well --  
12 A. You cannot base it on what the doctors say.  
13 Q. Doctor --  
14 A. The doctors --  
15 Q. -- I've already heard you.  
16 A. So I said, if you -- if you rely on the doctors'  
17 opinion, I said it doesn't matter. It's not  
18 reliable.  
19 Q. Are you done?  
20 A. Yes.  
21 Q. Okay. If, in a low speed or low damage car crash  
22 case, the jury hears testimony that it is very  
23 unlikely that someone could be injured, can we agree  
24 that such testimony would also be ridiculous in the  
25 face of treating medical doctors testifying as to

Page 75

1 the injuries that that person has already  
2 suffered --  
3 A. I do not --  
4 Q. -- true --  
5 A. -- agree with --  
6 Q. -- or false?  
7 A. False.  
8 Q. And your opinion is that is false because you say  
9 that a medical doctor is not qualified to testify as  
10 to causation of the injuries that he or she is  
11 treating his patients for?  
12 A. Correct.  
13 Q. True?  
14 A. True.  
15 Q. Can we agree, in this case, your opinions regarding  
16 the likelihood of Joy Lloyd being injured or not  
17 injured are just as helpful as it would be for the  
18 jury to hear an expert testify regarding likelihood  
19 of dying in a plane crash, where the person has  
20 already been found dead by a pathologist?  
21 A. That's a ridiculous comparison. I do not go by  
22 statistics. I go by data, by the data I have. She  
23 was not --  
24 Q. Are statistics a form of data? Are statistics a  
25 form of data?

Page 76

1 A. Well, you're talking about --  
2 Q. Are statistics a form of data?  
3 A. You're just twisting my words. I'm sorry, I don't  
4 play games with you.  
5 Q. Doctor, are statistics a form of data?  
6 A. Yes, but I'm not talking about epidemiological data.  
7 Q. I see. You are not a medical doctor?  
8 A. That doesn't matter.  
9 Q. You are not a trauma epidemiologist?  
10 A. We've been through that.  
11 Q. Am I correct?  
12 A. Yes.  
13 Q. Okay. Turn to your conclusion number six, please.  
14 Have you read it?  
15 A. Yes.  
16 Q. Have you reviewed it?  
17 A. Yes.  
18 Q. Do you believe that you are qualified to render  
19 opinions to a jury regarding medical diagnoses or  
20 injuries without being a medical doctor?  
21 A. I don't do diagnosis.  
22 Q. Okay. You state in your conclusion, any symptoms of  
23 a mild traumatic brain injury of Ms. Lloyd should be  
24 temporary and reversible --  
25 A. Yes.

1 Q. -- true? That's what appears there.  
 2 We've already discussed that you have  
 3 performed no testing on Joy Lloyd to find out how  
 4 much force it would take to injure her brain, true?  
 5 A. True.  
 6 Q. We can agree that you know nothing about her genetic  
 7 predisposition, how her brain metabolizes glucose,  
 8 chemical reactions within her brain, the bony ridges  
 9 inside her skull, or any other factors in the  
 10 literature, whether you agree with them or not, that  
 11 may indicate an increased vulnerability to traumatic  
 12 brain injury because you've never tested her, true?  
 13 A. Well, I cannot test her, so that's true.  
 14 Q. Your assumption here is that my client is average,  
 15 true?  
 16 A. Yes.  
 17 Q. An assumption which you've just admitted you've done  
 18 no testing to disprove, true?  
 19 A. I think it's your burden to prove she's not average.  
 20 Q. An assumption that you have made, that you have done  
 21 no testing to disprove, true?  
 22 A. I told you, I cannot do any testing on a live human,  
 23 so I don't think I can answer that question. That's  
 24 not possible. How can I test her? It's ridiculous.  
 25 You cannot ask questions that I cannot perform -- on

1 A. Doesn't matter. We're talking about the angular  
 2 acceleration here.  
 3 Q. No, we're not.  
 4 A. Yes, we are.  
 5 Q. I'm talking in general.  
 6 A. The brain is sensitive to angular acceleration. It  
 7 doesn't care how big the brain is or how strong the  
 8 body is. This is all irrelevant. You're just --  
 9 Q. Doctor, do you believe you're acting like an  
 10 advocate again? Are you being fair and evenhanded  
 11 right now?  
 12 A. Yes, of course.  
 13 Q. Okay.  
 14 MR. GURSTEN: Read back my question,  
 15 please.  
 16 (The requested portion of the record was  
 17 read by the reporter at 11:48 a.m.)  
 18 BY MR. GURSTEN:  
 19 Q. In general, is that a proposition that we can agree  
 20 with?  
 21 A. No. We're not comparing Joy Lloyd butting heads  
 22 with an NFL player. That's not what --  
 23 Q. In general --  
 24 A. That's not what we're talking about and that's what  
 25 you're leading to and I don't think that's true.

1 things I cannot perform.  
 2 Q. These are assumptions that you have made about Joy  
 3 Lloyd and you have no data from any testing to  
 4 disprove it?  
 5 A. I have data from fifty-three cases of brains --  
 6 Q. Yes, of NFL football players. I'm aware of that.  
 7 A. Which -- which show that these numbers are much  
 8 higher than what Dr. Z. claims they are.  
 9 Q. Okay. My question to you is, because I think we've  
 10 already gone over this before, that Joy Lloyd is  
 11 not -- does not have the same physical  
 12 characteristics; height, weight, gender, size as an  
 13 average NFL football player, true?  
 14 A. True. But the brain is a brain. You can --  
 15 Q. Is that true, Doctor?  
 16 A. Yes.  
 17 Q. Okay.  
 18 A. But the brain is a brain, so you cannot say that  
 19 just because I have brawn, I have better brains.  
 20 That's ridiculous.  
 21 Q. What I am saying is that because you have, quote,  
 22 better brawn, that your brain may be less vulnerable  
 23 to injury from an impact than someone who is more  
 24 vulnerable because of size, weight, gender, size or  
 25 genetic predisposition?

1 Q. Doctor, with all respect, since you don't have a  
 2 crystal ball and you can't read my mind, at least  
 3 not as well as you think you can, is the statement  
 4 of fact that I just made accurate or inaccurate?  
 5 A. Inaccurate, as far as the brain is concerned.  
 6 Q. Accurate regarding everything else but the brain?  
 7 A. Yes.  
 8 Q. Your size, your weight, your height, your gender,  
 9 your age --  
 10 A. Given the same angular acceleration, it's the same  
 11 thing.  
 12 Q. What if it's not the same angular acceleration?  
 13 A. Then all bets are off.  
 14 Q. Okay. What if there are multiple various motions on  
 15 the brain at one time, are all bets also off?  
 16 A. No. That doesn't really matter.  
 17 Q. Well, why are all bets off?  
 18 A. If you have a more severe impact, then of course,  
 19 the conditions are different, but if -- if the NFL  
 20 player and Joy Lloyd suffers the same angular  
 21 acceleration, they have the same injuries or no  
 22 injury.  
 23 Q. But there are more ways to injure their brain  
 24 besides just angular acceleration, correct?  
 25 A. Correct.

Page 81	Page 83
<p>1 Q. What are some of the other ways where the brain can 2 be injured in a rear-end car crash, besides angular 3 acceleration? 4 A. If you hit your head real hard on something. 5 Q. Okay. What else? 6 A. That's about it. 7 Q. Okay. Do you note in your report that Joy Lloyd hit 8 her head on the glass behind her? 9 A. Yes. 10 Q. Okay. Going back to your conclusion number six, you 11 say that any symptoms of mild traumatic brain injury 12 should be temporary and reversible, do you not? 13 A. Yes, I do. 14 Q. Okay. Do you believe you know more about brain 15 injury and are better qualified to opine upon 16 whether it should be temporary and reversible than 17 the treating board certified medical doctors who are 18 rendering treatment to Joy Lloyd for her brain 19 injury? 20 A. I'm not saying that. 21 Q. Okay. When you say should be temporary and 22 reversible, can we agree that there are cases where 23 it is not temporary and reversible? 24 A. I'm only saying that based on the data I have. 25 Q. Okay. Your fifty-three --</p>	<p>1 to render such opinions based upon what you may have 2 heard at a lecture. My question to you is simple, 3 Doctor -- 4 A. That's -- that's all I have. 5 Q. Okay. My question to you is simple. Can we agree 6 that even though many cases will be temporary and 7 reversible, that there are still cases of mild 8 traumatic brain injury that are not? 9 A. I don't know. 10 Q. You do not know? 11 A. I cannot tell you that. 12 Q. You wrote in your report, am I not reading this 13 correctly, any symptoms of a mild traumatic brain 14 injury should be temporary and reversible? You 15 wrote that, did you not? 16 A. Yes. 17 Q. Okay. When you wrote that, were you writing that as 18 a biomechanical engineer, or were you writing that 19 as a medical doctor? 20 A. Biomechanical engineer. 21 Q. Okay. Then I'm asking you as a biomechanical 22 engineer, does the medical literature not reflect 23 that although many cases of mild traumatic brain 24 injury do improve over time, as you say are 25 temporary and reversible, that there are still many</p>
<p>Page 82</p> <p>1 A. NFL players -- 2 Q. -- NFL football players? 3 A. -- have mild concussions that are reversible and not 4 permanent. 5 Q. Doctor, I will go so far as to say that most cases 6 of mild traumatic brain injury do get better over 7 time. Is that something you would agree with? 8 A. Yes. 9 Q. However, we can both agree that there are still a 10 number of cases that do not get better over time, 11 true? 12 A. Well, that goes to the other problems of clinical 13 depression and symptoms of clinical depression that 14 mask as -- as a brain injury and -- according to 15 the -- 16 Q. Are you testifying -- 17 A. -- lectures I've been to, -- and according to the 18 lectures I've been to with neuropsychologists, they 19 have a hard time with this. 20 Q. Are you testifying as a biomechanic, or as a medical 21 doctor now? 22 A. I'm testifying based on what I've heard from 23 lectures on clinical depression masking as brain 24 injury. 25 Q. With all respect, I don't believe you're qualified</p>	<p>Page 84</p> <p>1 cases that are not; is that true? 2 MR. SZTYKIEL: I'm going to object as to 3 form and foundation. He's issued an opinion with 4 respect to this specific case and you're asking him 5 as a general proposition. 6 MR. GURSTEN: If you're going to stipulate 7 that he is not qualified to render such an opinion 8 to a jury, then I'll withdraw it. 9 MR. SZTYKIEL: Well, he is, in this 10 particular case. As a general proposition, I don't 11 know. I don't -- 12 MR. GURSTEN: All right. Then your 13 objection is noted. 14 BY MR. GURSTEN: 15 Q. Doctor, please answer my question. 16 A. Well, based on the information that I have, these 17 are reversible injuries, if there was one, and if 18 there are other cases around that -- that appear to 19 be permanent, then I would say that there may be 20 other causes besides that, which is my next 21 sentence. And so I don't think you can absolutely 22 say there was an injury. As far as I'm concerned, 23 the biomechanics of this is not sufficient to cause 24 injury. 25 Q. We've heard. My question is, going back to your</p>

Page 85

1 sixth conclusion, did you or did you not use the  
2 word should in saying that any symptoms of mild  
3 traumatic brain injury such as Joy Lloyd suffered  
4 here, should be temporary and reversible?  
5 A. Yes.  
6 Q. And I am asking you, are there not cases where such  
7 mild traumatic brain injury is not temporary and  
8 reversible?  
9 A. Well, there again, it is -- it's a highly unlikely  
10 case, but it's possible. I'm not saying it's  
11 impossible, but it should --  
12 Q. Do you believe that you are more qualified or less  
13 qualified than the board certified medical  
14 specialists treating her for traumatic brain injury  
15 to opine upon whether the mild traumatic brain  
16 injury is temporary and reversible in this case or  
17 not?  
18 A. I don't treat patients so I cannot answer your  
19 question.  
20 Q. Are you even familiar enough with the medical  
21 literature concerning mild traumatic brain injury to  
22 tell me what percentage of people who sustain mild  
23 traumatic brain injury do go on to have -- to  
24 improve where it's temporary and reversible and what  
25 percentage do not?

Page 86

1 A. No.  
2 Q. Should, as you used it here, is your opinion as to  
3 what most, or the majority of people should do after  
4 sustaining such injury?  
5 A. Yes.  
6 Q. You did not medically examine or treat Joy Lloyd?  
7 A. No.  
8 Q. You are not in a position, sitting here today, to  
9 tell us what the effects are on Joy Lloyd today of  
10 her traumatic brain injury, true?  
11 A. That's right.  
12 Q. Okay. Your next sentence, persistent symptoms of a  
13 brain injury are more likely attributable to other  
14 psychological conditions of the plaintiff, such as  
15 clinical depression?  
16 A. Yes.  
17 Q. Can we agree that you are, once again, giving  
18 medical opinions here?  
19 A. That's just based on what I've learned from my  
20 psychologist when I asked him --  
21 Q. Okay.  
22 A. -- why there is symptoms of brain injury if there's  
23 no cause for it and he said it's very hard sometimes  
24 to find out whether depression is the cause of it  
25 rather than a true organic brain injury.

Page 87

1 Q. Do you believe that these opinions that you have  
2 heard from an unnamed psychologist that you have  
3 asked have enough indicators of trustworthiness and  
4 reliability that you should be able to opine upon  
5 them to a jury?  
6 A. These are --  
7 Q. They're in your report, aren't they?  
8 A. Yes.  
9 Q. Okay.  
10 A. These are --  
11 Q. Are you qualified to render medical opinions --  
12 A. It's not --  
13 Q. -- about Joy Lloyd?  
14 A. It's not a medical opinion. It's just an  
15 explanation.  
16 Q. It's a possible explanation?  
17 A. Well, she does have clinical depression.  
18 Q. But that's one possible explanation that you chose  
19 to explain her symptoms of brain injury?  
20 A. Correct.  
21 Q. There are other possible explanations that you chose  
22 not to put down in your report?  
23 A. I don't have a whole history. She might have banged  
24 her head on something else. I don't know.  
25 Q. In fact, all you have are the medical records from

Page 88

1 her first month after she was rear-ended by the  
2 truck three years ago?  
3 A. Yes.  
4 Q. You have nothing else?  
5 A. That's right.  
6 Q. Do you believe that a board certified psychiatrist,  
7 physiatrist, neurologist or neuropsychologist would  
8 be more qualified than you to opine upon whether the  
9 persistent symptoms of a brain injury are more  
10 likely attributable to other psychological  
11 conditions of the plaintiff, such as clinical  
12 depression, as you wrote in your conclusion number  
13 six?  
14 A. Well, all I'm saying is that if they diagnose that,  
15 they certainly don't know what caused it.  
16 MR. GURSTEN: Would you please read back  
17 my question?  
18 (The requested portion of the record was  
19 read by the reporter at 11:59 a.m.)  
20 THE WITNESS: They are qualified to  
21 diagnose something, but not the cause.  
22 BY MR. GURSTEN:  
23 Q. So you believe that three and a half years later,  
24 without any medical records concerning her  
25 treatment, you're qualified to render opinions as to

Page 89

1 what's the cause of the symptomology in Joy Lloyd as  
2 you did in your report, sir?  
3 MR. SZTYKIEL: I think not what is, but  
4 what isn't.  
5 BY MR. GURSTEN:  
6 Q. As you wrote in your report.  
7 A. I'm saying what the cause is, is -- is not -- not  
8 related and if --  
9 Q. No, that's not what you said. You said that the  
10 persistent symptoms of a brain injury are more  
11 likely attributable to other psychological  
12 conditions of the plaintiff, Joy Lloyd, such as  
13 clinical depression. That's what you wrote, isn't  
14 it?  
15 A. Yes.  
16 Q. Okay. I'm asking you, sir, as a biomechanical  
17 engineer, if you feel that you're qualified to  
18 render such an opinion three and a half years after  
19 the crash, without any of her medical records, about  
20 what is the cause of her symptomology as you did in  
21 your report?  
22 A. Well, based on my -- based on what I know, I think  
23 I -- I'm just providing a plausible explanation.  
24 Q. A possible explanation?  
25 A. Yes.

Page 90

1 Q. Based upon what you were told by a psychologist?  
2 A. Yes.  
3 Q. Okay. Doctor, are you familiar with the book, Low  
4 Speed Automobile Accidents, by Dr. Allen Watts?  
5 A. No.  
6 Q. If Dr. Watts takes the position that the fiftieth  
7 percentile male stands a fifty percent chance of  
8 injury at a Delta V of 7.5 miles per hour and that  
9 although the probability of injury is reduced as the  
10 speed is reduced below 7.5 miles per hour, it cannot  
11 be said that a specific person did not suffer injury  
12 even at speeds as low as two to three miles per hour  
13 Delta V?  
14 A. I don't even know if that's authoritative, what this  
15 guy's background is --  
16 Q. I'm not asking you about that.  
17 A. I know. I --  
18 Q. I'm asking you, with fourteen years as a  
19 biomechanical engineer, whether you agree or  
20 disagree?  
21 A. I disagree. It's just a wide ranging statement.  
22 It's totally --  
23 Q. Do you agree --  
24 A. -- unfounded.  
25 Q. Do you agree or disagree that there is no

Page 91

1 established minimal threshold of force required to  
2 cause injury in an individual?  
3 A. Oh, there is. Of course there is. There is always  
4 the activities of daily living. Accelerations  
5 sustained in activities of daily living are usually  
6 noninjurious, like you -- if you just -- it's very  
7 hard to injure yourself if you walk into a wall, but  
8 if you run into it, you will.  
9 Q. Doctor, I'm familiar with all of those studies of  
10 daily living, such as the Allen study and the Murray  
11 study and all of the others. Haven't they all been  
12 basically discredited in the last five years?  
13 A. No. It's -- doesn't --  
14 Q. Do you believe that they hold value here --  
15 A. Sure, they do.  
16 Q. -- when we're talking about the forces of Joy Lloyd  
17 in a rear-end semi truck, car crash?  
18 A. Yes.  
19 Q. Okay. What is the minimal threshold of force  
20 required to cause Joy Lloyd brain damage?  
21 A. That's not known.  
22 Q. What is the minimal threshold of force required to  
23 cause Joy Lloyd to tear her rotator cuff?  
24 A. Well, that's not known either.  
25 Q. What about a concussion of her temporal bones?

Page 92

1 A. A concussion of what?  
2 Q. Her temporal bones.  
3 A. Bone cannot be concussed.  
4 Q. You don't even know what that is, do you? Do you  
5 know what --  
6 A. Temporal bone is part of the skull. How do you  
7 concuss a bone?  
8 Q. Have you ever heard of an audiogram test?  
9 A. Yes.  
10 Q. Okay. If as a result of her audiogram it showed  
11 that she had a concussion of her temporal bones --  
12 A. Temporal bones?  
13 Q. You don't understand, do you? Okay.  
14 What is the minimal threshold of force  
15 required to cause brow and eyelid ptosis to Joy  
16 Lloyd?  
17 A. Brow and eyelid --  
18 Q. Paralysis, Doctor.  
19 A. Paralysis?  
20 Q. Yes. She's had seven to eight surgeries for it.  
21 You don't have the medical records, but I want you  
22 to assume that's true. I'm asking you, what is the  
23 minimal threshold of force required to cause that?  
24 A. That I don't think has ever been studied.  
25 Q. Okay. So you can't opine upon it?



Page 93

1 A. Well, I don't even know that that's necessarily  
2 related. I don't see the relationship at all.  
3 Q. I want you to assume that the treating surgeon has  
4 performed seven to eight surgeries on her brow and  
5 her eye in this case, and I'd like you to assume  
6 that there was some medical reason why he performed  
7 those surgeries and I want you to assume that he is  
8 directly relating those surgeries to this car crash  
9 case. Do you know the minimal threshold of force  
10 required to cause such an injury?  
11 A. I would say that would be a very severe impact that  
12 would cause that. This is not something that you  
13 can injure a local -- a nerve like this. This is --  
14 Q. Just so we're clear, Doctor, are you accusing her  
15 orthopedic surgeon who did a rotator cuff surgery  
16 and her ophthalmologic surgeon -- her  
17 neurophthalmologist who's performed seven or eight  
18 eyelid and brow surgeries, of medical malpractice,  
19 of committing surgeries for no reason?  
20 A. I'm just saying that they don't know the cause.  
21 They can do the treatment all they want --  
22 Q. Okay.  
23 A. -- but to opine on the cause -- on a cause, relating  
24 it to some accident, I think it's quite  
25 irresponsible on their part.

Page 94

1 Q. Are you aware of any such problems before she was  
2 rear-ended by the defendant's semi truck?  
3 A. I'm not --  
4 Q. Okay. Can you offer --  
5 A. -- aware of anything.  
6 Q. Can you offer any opinion as to what did cause these  
7 injuries?  
8 A. No, but --  
9 Q. Okay. Can we agree that all of these injuries that  
10 you and I have gone over with her; traumatic brain  
11 injury, the rotator cuff, the others, are all highly  
12 dependent upon the susceptibility of the occupant?  
13 A. You can be susceptible. You can be an eggshell  
14 plaintiff, but it's just not very likely to tear  
15 your rotator cuff --  
16 Q. Now we're going back --  
17 A. -- in a rear-end collision.  
18 Q. -- to likely or not. I'm asking you, can we agree  
19 that a lot of it depends upon the susceptibility of  
20 the occupant? Is that a true statement?  
21 A. Yes. Of course.  
22 Q. Okay. Do you agree that crash testing at the US  
23 naval base in San Diego has demonstrated that an  
24 occupant leaning forward, away from the head  
25 restraint by as little as two inches, could increase

Page 95

1 their peak head acceleration by as much as forty  
2 percent?  
3 A. I don't -- I'm not aware of any naval base in San  
4 Diego that did any crash testing. I don't know  
5 where you got that from.  
6 Q. That's not my question.  
7 A. But you said naval base in San Diego. I --  
8 Q. Okay.  
9 A. There is no naval base in San Diego that does crash  
10 testing.  
11 Q. Doctor, I want you to assume that there is a paper,  
12 that as a result of crash testing at the US naval  
13 base in San Diego, that it demonstrated that an  
14 occupant leaning forward, away from the head  
15 restraint by as little as two inches, could increase  
16 their peak head acceleration by as much as forty  
17 percent. Do you agree or disagree with that  
18 statement?  
19 A. In a rear-end -- minor rear-end impact? It's  
20 possible.  
21 Q. Okay. Now, you've mentioned repeatedly in your  
22 testimony today about your fifty-three NFL football  
23 players. I want to ask you about a study that I  
24 believe, and you may be familiar with, is the  
25 largest population study of individuals with

Page 96

1 crash-related injuries to date. This is a study by  
2 Farmer in 1998, who found that in his report of rear  
3 impact crashes in thirty-seven states, that his  
4 findings revealed that approximately thirty-four  
5 percent of the men and forty-four percent of the  
6 women were injured in crashes with property damage  
7 of less than \$1,000.00. Is that a finding that you  
8 agree or disagree with?  
9 A. I would disagree with these findings because their  
10 definition of injury is pain, and to me, pain is not  
11 necessarily equal to injury, and therefore, these --  
12 these statistics are suspect. It's very easy to  
13 have pain because of degeneration. Most people have  
14 spinal degeneration, so as long as they complain of  
15 pain, they check it as injury and that is -- makes  
16 it rather unreliable, unless you can show it's a  
17 permanent injury of some kind, and if you go to  
18 that, you'll find that that's a very small number.  
19 Q. That's your answer?  
20 A. Yes. My studies in neurophysiology of pain tells me  
21 that this is all wrong because they do not go in  
22 depth to research the difference between pain and  
23 injury. To you lawyers, it may be the same thing,  
24 but to us biomechanical engineers, it's quite  
25 different.

Page 97

1 Q. Well, to doctors it's the same thing, also, after  
2 they treat somebody following a rear-end car crash,  
3 isn't it, Dr. King?  
4 A. I don't know how they define it, but for us, we have  
5 to see an actual injury.  
6 Q. Okay. Let me rephrase that. If medical records,  
7 Doctor, as a result of this study, if they reviewed  
8 medical records of people who reported pain, and  
9 these medical records reflected by the treating  
10 doctors that they were injured in thirty-seven  
11 states --  
12 A. That's --  
13 Q. -- or approximately -- let me finish, please --  
14 where approximately thirty-four percent of the men  
15 and forty-four percent of the women reported  
16 injuries to their medical doctors in crashes with  
17 property damage of less than \$1,000.00, is that a  
18 finding that you agree with, or disagree with?  
19 A. I still disagree with, because --  
20 Q. Can we agree that that finding has a sample size  
21 that is rather larger than your sample size of  
22 fifty-three NFL football players?  
23 A. That's irrelevant because they don't --  
24 Q. Can we agree that that --  
25 A. No, I cannot agree.

Page 98

1 Q. -- sample size is larger than your fifty-three  
2 football players?  
3 A. It's irrelevant. I can agree with you, but it's  
4 irrelevant.  
5 Q. This study also found that crashes with less than  
6 \$500.00 in reported damage accounted for  
7 twenty-three percent of the men and eighteen percent  
8 of -- excuse me -- twenty-three percent of the women  
9 and eighteen percent of the men who reported  
10 injuries, is that something you agree with or  
11 disagree with?  
12 A. I -- same thing. I don't agree with those numbers.  
13 Q. Do you agree with the following statement, Dr. King:  
14 That low probability of injury in a general  
15 population does not help determine the presence of  
16 injury in an individual after that individual has  
17 already been injured?  
18 A. If -- that depends on what you define as injury.  
19 That's a major problem here. If pain is equal to  
20 injury, yeah, sure. But pain is not --  
21 Q. What about if -- what about if injury is equal to  
22 injury as regarded by the treating medical doctor?  
23 A. That's not necessarily a -- a good definition --  
24 Q. Okay.  
25 A. -- because they -- they see pain, that call it

Page 99

1 injury right away.  
2 Q. Doctor, do you believe that you're being biased  
3 right now?  
4 A. No.  
5 Q. Okay.  
6 A. I read medical records all over the place and every  
7 time they come in, they always refer to it as an  
8 injury, whether it's -- it's just a symptom or  
9 whether it's an organic injury, it's still an injury  
10 in the medical records. It doesn't matter.  
11 Q. Do you believe that your opinion as a biomechanical  
12 engineer should be substituted over a treating  
13 physicians' regarding the medical diagnosis or cause  
14 of pain of their patient?  
15 A. I'm not gonna argue with the diagnosis nor the  
16 treatment, but the cause of the injury and the cause  
17 of pain, I think our studies are more detailed than  
18 what they know about causes of pain.  
19 MR. GURSTEN: Kim, would you read back my  
20 question, please?  
21 (The requested portion of the record was  
22 read by the reporter at 12:13 p.m.)  
23 BY MR. GURSTEN:  
24 Q. Isn't that what you're doing right now, Doctor,  
25 you're substituting your opinion over that of the

Page 100

1 treating medical doctors? So my question is --  
2 A. No. I'm -- I'm making a differentiation between the  
3 permanent injury and just a symptom of pain because  
4 those are different.  
5 Q. How do you know, one or two years post crash, if an  
6 injury is going to be permanent?  
7 A. How do I know?  
8 Q. How can you say that?  
9 A. Based on the severity of the impact and based on  
10 what we know about what the input accelerations are.  
11 Q. But we can agree --  
12 A. This is not a concussive injury that's permanent.  
13 Q. But we can agree -- you and I are both aware of  
14 cases where there has been extremely high amounts of  
15 vehicle damage and the occupants have basically  
16 walked away without injury, correct?  
17 A. Yes.  
18 Q. And we can also agree, likewise, that there have  
19 been cases where there has been relatively low  
20 amounts of vehicle damage and the occupants have  
21 been severely injured, true?  
22 A. That's very rare.  
23 Q. But that happens, true?  
24 A. Yes.  
25 Q. Okay. When you say it's very rare, aren't your

1 opinions in this case similar to the expert who is  
2 testifying in a plane crash death case, that an  
3 average person would probably not have been killed  
4 when a pathologist has already testified that that  
5 person is dead?

6 MR. SZTYKIEL: Objection, asked and  
7 answered about three times.

8 THE WITNESS: No, it's -- we're talking  
9 about a specific input to the case and not a plane  
10 crash and so that's -- that's a wrong analogy.

11 BY MR. GURSTEN:

12 Q. Doctor, one more question. I have your -- I have  
13 your report regarding all of the cases that you've  
14 testified in. Can we agree that all of them, except  
15 for one, have been on behalf of defendants?

16 A. In the last four years, yes.

17 MR. GURSTEN: No more questions.

18 MR. SZTYKIEL: Can we go off the record?

19 VIDEO TECHNICIAN: Going off the record at  
20 12:20 p.m.

21 (Recess taken at 12:20 p.m.)

22 (Back on the record at 12:31 p.m.)

23 MR. SZTYKIEL: For the record, as opposed  
24 to doing any redirect of Dr. King at this time or  
25 direct of Dr. King, I'm just going to do that when

1 we do his video deposition next week.

2 MR. GURSTEN: I have no problem with that.

3 (The deposition was concluded at 12:32  
4 p.m. Signature of the witness was not  
5 requested by counsel for the respective parties  
6 hereto.)  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1 CERTIFICATE OF NOTARY

2 STATE OF MICHIGAN )  
3 ) SS  
4 COUNTY OF OAKLAND )  
5

6 I, Kimberly H. Kaplan, a Notary Public in and  
7 for the above county and state, do hereby certify  
8 that the above deposition was taken before me at the  
9 time and place hereinbefore set forth; that the  
10 witness was by me first duly sworn to testify to the  
11 truth, and nothing but the truth; that the foregoing  
12 questions asked and answers made by the witness were  
13 duly recorded by me stenographically and reduced to  
14 computer transcription; that this is a true, full  
15 and correct transcript of my stenographic notes so  
16 taken; and that I am not related to, nor of counsel  
17 to either party nor interested in the event of this  
18 cause.

19  
20  
21  
22 \_\_\_\_\_  
23 Kimberly H. Kaplan, CSR-5096  
24 Notary Public,  
25 Oakland County, Michigan  
My Commission expires: August 5, 2004

1 INDEX TO EXAMINATIONS

2  
3 Witness Page  
4 ALBERT KING  
5  
6 EXAMINATION  
7 BY MR. GURSTEN: ..... 1

8 INDEX TO EXHIBITS

9  
10  
11 Exhibit Page  
12 (Exhibits retained by counsel.)  
13  
14 DEPOSITION EXHIBIT NUMBERS 1-9 ..... 3  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25